

0001

1 IN THE CIRCUIT COURT OF THE FIFTEENTH JUDICIAL CIRCUIT
2 IN AND FOR PALM BEACH COUNTY, FLORIDA
3 CIVIL DIVISION
4
5

6 ----- x

7 DAVID COHEN, as Personal Representative

8 of the ESTATE OF HELEN COHEN,

9 Plaintiff, Case No.

10 v. 50-2009-CA-0040042-MB-AI

11 R.J. REYNOLDS TOBACCO COMPANY, et al.,

12 Defendants.
13 ----- x

14

15 DEPOSITION of GARY M. STRAUSS, M.D. called

16 as a witness by and on behalf of the Defendant, R.J.

17 Reynolds Tobacco Company, pursuant to the Florida

18 Rules of Civil Procedure, before Michael D.

19 O'Connor, Registered Professional Reporter, and

20 Notary Public in and for the Commonwealth of

21 Massachusetts, at G&M Court Reporters, 42 Chauncy

22 Street, Boston, Massachusetts, on Wednesday,

23 November 14, 2012, commencing at 9:12 a.m.
24
25

0002

1 APPEARANCES:

2

3 SCHLESINGER LAW OFFICES, P.A.

4 By Jonathan R. Gdanski, Esq.

5 1212 Southeast Third Avenue

6 Fort Lauderdale, Florida 33316

7 (954)320-9507

8 jonathan@schlesingerlawoffices.com

9 For the Plaintiff.
10

11 KING & SPALDING, LLP

12 By Todd P. Davis, Esq.

13 1180 Peachtree Street, N.E.

14 Atlanta, Georgia 30309

15 (404)572-3589

16 tdavis@kslaw.com

17 For R.J. Reynolds Tobacco Company.
18

19 HUGHES HUBBARD & REED, LLP

20 By Brent G. Filbert, Esq.

21 2345 Grand Boulevard

22 Kansas City, Missouri 64108

23 (816)7094198

24 filbert@hugheshubbard.com

25 For Lorillard Tobacco Company.
0003

1 APPEARANCES (Cont'd):

2

3 KASOWITZ, BENSON, TORRES & FRIEDMAN, LLP

4 By Kelly Anne Luther, Esq.

5 1441 Brickell Avenue

6 Miami, Florida 33131

7 (786)587-1045

8 kluther@kasowitz.com

9 For Liggett and Vector.

10

11 Also Present: Susan Davis Crooks, Esq.

12

13 APPEARANCES VIA SPEAKERPHONE:

14

15 SHOOK, HARDY & BACON, LLP

16 By Scott Michelman, Esq.

17 600 Travis, Suite 3400

18 Houston, Texas 77081

19 (713)227-8008

20 mmichelman@shb.com

21 For Philip Morris USA.

22

23

24

25

0004

1 APPEARANCES VIA SPEAKERPHONE:

2

3 KASOWITZ, BENSON, TORRES & FRIEDMAN, LLP

4 By Jennifer Rossel, Esq.

5 1441 Brickell Avenue

6 Miami, Florida 33131

7 (786)587-1070

8 jrossel@kasowitz.com

9 For Liggett and Vector.

10

11 SCHLESINGER LAW OFFICES, P.A.

12 By Brittany Chambers, Esq.

13 1212 Southeast Third Avenue

14 Fort Lauderdale, Florida 33316

15 (954)320-9507

16 bchambers@schlesingerlawoffices.com

17 For the Plaintiff.

18

19

20

21

22

23

24

25

0005

1 INDEX

2 Deposition of: Direct Cross Redirect Recross

3 GARY M. STRAUSS, M.D.
 4 By Mr. Davis 9 326, 339
 5 By Mr. Filbert 317 330
 6 By Mr. Michelman 332 338
 7 By Ms. Chambers 333

8

9 E X H I B I T S

10 No. Page
 11 Exhibit 1 Supplemental Expert Witness
 12 Disclosure 17
 13 Exhibit 2 Notice of Taking Deposition Duces
 14 Tecum 22
 15 Exhibit 3 Document entitled, "November 13,
 16 2012 Helen Cohen vs. Philip Morris
 17 and RJ Reynolds" 24
 18 Exhibit 4 Transmittal sheet 28
 19 Exhibit 5 Invoice and spreadsheet 28
 20 Exhibit 6 Folder of medical records 38
 21 Exhibit 7 Document entitled, "Trial Testimony
 22 and Deposition Since 1998" 42
 23 Exhibit 8 CV 44
 24 Exhibit 9 Document transmittal sheet 57

25

0006

1 E X H I B I T S (Cont'd)
 2 No. Page
 3 Exhibit 10 CD disk that was entitled "Cohen
 4 Med Rec" 60
 5 Exhibit 11 CD disk that's entitled "Helen
 6 Cohen CT Scan 3/3/06" 60
 7 Exhibit 12 Document transmittal sheet
 8 containing 14 disks 61
 9 Exhibit 13 Medical record of Helen Cohen,
 10 dated 3/6/06 118
 11 Exhibit 14 Discharge summary from Delray
 12 Medical Center 125
 13 Exhibit 15 Document entitled, "Pulmonary
 14 Pathology" 131
 15 Exhibit 16 Document entitled, "Cancer
 16 Treatment, Fourth Edition" 135
 17 Exhibit 17 Disk 150
 18 Exhibit 18 Disk 150
 19 Exhibit 19 Disk 150
 20 Exhibit 20 Disk 150
 21 Exhibit 21 Disk 150
 22 Exhibit 22 Disk 150
 23 Exhibit 23 Disk 150
 24 Exhibit 24 Disk 150
 25 Exhibit 25 Disk 150

0007

1 E X H I B I T S (Cont'd)
 2 No. Page
 3 Exhibit 26 Disk 150
 4 Exhibit 27 Disk 150

5	Exhibit 28 Disk	150
6	Exhibit 29 Disk	150
7	Exhibit 30 Disk	150
8	Exhibit 31 Excerpt of Exhibit 6	152
9	Exhibit 32 Helen Cohen's 3/3/06 CT scan	157
10	Exhibit 33 Fact sheet from National	
11	Cancer Institute, dated	
12	12/7/01	176
13	Exhibit 34 Helen Cohen's tumor marker	
14	analysis	180
15	Exhibit 35 Helen Cohen's pelvic ultrasound,	
16	dated 7/23/97	184
17	Exhibit 36 Helen Cohen's pelvic ultrasound,	
18	dated 9/15/98	186
19	Exhibit 37 New patient questionnaire, dated	
20	9/11/05	217
21	Exhibit 38 Dr. Lubekian's evaluation, dated	
22	9/12/05	219
23	Exhibit 39 Dr. Michele Cohen's office note,	
24	dated 9/16/05	222

25
0008

1	E X H I B I T S (Cont'd)	
2	No.	Page
3	Exhibit 40 Record from Dr. Wasserman,	
4	dated 11/27/02	229
5	Exhibit 41 Record from Dr. Wasserman,	
6	dated 6/2/2004	230
7	Exhibit 42 NOT ASSIGNED	
8	Exhibit 43 Record from Dr. Wasserman,	
9	dated 6/2/2004	230
10	Exhibit 44 IP NM WBC scan Exhibit	236
11	Exhibit 45 NOT ASSIGNED	
12	Exhibit 46 Portable chest x-ray, dated	
13	1/5/06	239
14	Exhibit 47 Chest x-ray, dated 1/19/06	239
15	Exhibit 48 Portable chest x-ray, dated	
16	1/21/06	239
17	Exhibit 49 Hematology oncology	
18	consultation	250
19	Exhibit 50 Hematology oncology	
20	consultation	253
21	Exhibit 51 Helen Cohen's Chest x-ray,	
22	dated 3/3/06	284
23	Exhibit 52 Prospective study of smoking	302

24
25
0009

1	E X H I B I T S (Cont'd)	
2	No.	Page
3	Exhibit 53 Article entitled "Fruits and	
4	Vegetables and Lung Cancer	
5	Findings From the European	
6	Perspective Investigation Into	

7 Cancer and Nutrition" 307
8 Exhibit 54 Article entitled, "Lung Cancer
9 Risk Reduction After Smoking,
10 Observations From a Prospective
11 Cohort of Women" 309
12 Exhibit 55 Stack of depositions provided
13 to Dr. Strauss 333
14
15
16
17
18
19
20
21
22
23
24
25

0010

1 P R O C E E D I N G S

2

3 GARY M. STRAUSS, M.D.

4

5 having been satisfactorily identified by the
6 production of his driver's license, and duly sworn
7 by the Notary Public, was examined and testified as
8 follows:
9

9

10 MR. DAVIS: This will be the deposition of
11 Dr. Gary Strauss taken in the matter of David Cohen
12 versus R.J. Reynolds Tobacco Company, et al. pending
13 in the Circuit Court in Palm Beach County. This
14 deposition is being taken pursuant to the Florida
15 Rules of Civil Procedure.

16 D I R E C T E X A M I N A T I O N

17 B Y M R. D A V I S:

18 Q. Good morning, Dr. Strauss.

19 A. Good morning.

20 Q. We introduced ourselves shortly before the
21 deposition started. My name is Todd Davis. I
22 represent R.J. Reynolds Tobacco Company. I'm here
23 to take your deposition in the case today. Do you
24 understand that?

25 A. I do.

0011

1 Q. Now, you are Dr. Gary Strauss; is that
2 right?

3 A. I am.

4 Q. Dr. Strauss, you've had an opportunity to
5 make introductions with the other lawyers. They may
6 have some questions for you as well. If at any time
7 you don't understand one of our questions, will you
8 let us know?

9 A. I will.

10 Q. If you answer our questions, we will assume
11 that you understood the question and you answered
12 the question that was asked. Is that acceptable to
13 you?

14 A. That is acceptable.

15 MS. LUTHER: Could I just interrupt. I
16 don't know if we told him somebody is on the phone.

17 MR. DAVIS: Yes.

18 A. I'm sorry, I didn't hear that, but that's
19 fine.

20 MR. DAVIS: I don't know whether anyone
21 else wants to make their appearances before we
22 start. Okay. We'll keep going then.

23 Q. Dr. Strauss, you've given depositions
24 before?

25 A. I have.

0012

1 Q. You understand that your deposition
2 testimony today is taken under oath?

3 A. I do.

4 Q. You understand the same oath is
5 administered as if you were in a court of law?

6 A. I do understand that.

7 Q. You understand you're here to give us your
8 best testimony?

9 A. I understand that.

10 Q. You will do your best to do that today?

11 A. I will.

12 Q. Now, doctor, I see that you've brought with
13 you some materials here to the deposition. Can you
14 describe for us what you have in front of you?

15 A. I believe everything on the right side here
16 are depositions. What's in this green folder are
17 really the medical records that I had reviewed for
18 the case. Here is my curriculum vitae. I did bring
19 a list of trial testimony and depositions since
20 1997. I have the requests for the deposition. I
21 have here the notes that I took on the case, and I
22 was asked to bring any bills that I had sent.

23 Q. That's a very good description, and I'm
24 going to ask you some more questions about what
25 you've brought. But is there anything else that

0013

1 comprises your file on the case involving Helen
2 Cohen that you have not brought to the deposition?

3 MR. GDANSKI: Object to the form.

4 A. No.

5 Q. So this is a complete set of materials on
6 Helen Cohen that you've reviewed for this case?

7 A. Yes.

8 Q. Do you have in the stack of materials any
9 radiology films that you've reviewed?

10 MR. GDANSKI: I'm going to object. Films

11 or CTs? I'm going to object to the form. Are you
12 talking about the actual physical films?

13 Q. Do you understand my question, doctor?

14 A. I did.

15 Q. Can you tell me the answer to that?

16 A. I didn't look at any films. That doesn't
17 mean I didn't look at any images.

18 Q. Thank you for that clarification. Did you
19 look at radiographic images of Helen Cohen?

20 A. I did. In my own hospital I know exactly
21 how to do it. When you get outside images,
22 sometimes they are very difficult to sort of get to
23 the point where you can review them easily, and that
24 was the case here. So I did look at them somewhat,
25 but it wasn't ideal, but it was by myself, and I'm

0014

1 not a radiologist.

2 Q. For the images that you looked at, are all
3 of those images on a CD disk that you brought here
4 to the deposition?

5 A. There are a bunch of CD disks. I did bring
6 everything that I have.

7 Q. Can you show me where they are?

8 A. I just received this Monday and looked at
9 two of them briefly. Then these two additional CDs
10 -- okay, so this one is the one that I tried my best
11 to look at. It's very computer sensitive. So this
12 has the CT. Then this disk I was given by Mr.
13 Gdanski yesterday when we met which includes all the
14 medical records, which I, you know, looked at the
15 CD.

16 Q. Let me see if I understand what you told
17 me. There's a CD that you brought that has the name
18 of "Helen Cohen" on it and also has in handwriting
19 "CT Scan 3/3/06"?

20 A. Correct.

21 Q. That contains the CT scan of Helen Cohen
22 taken on March 3, 2006?

23 A. I believe it does, yes.

24 Q. Does it contain any other images?

25 A. I don't believe so, but that was what I

0015

1 tried to look at.

2 Q. When did you first review this?

3 A. I got it -- it came in a package with some
4 of the depositions, which probably came -- the first
5 ones came maybe three or four weeks ago, something
6 like that.

7 Q. Now, the other disk that you brought, it
8 has handwriting on it that says "Cohen Med Rec"?

9 A. Correct.

10 Q. Which stands for Cohen medical records?

11 A. I assume so, yes.

12 Q. On that disk, is there any imaging or

13 radiographic film imaging on it?

14 A. I'm not 100 percent positive.

15 Q. If there is, is it fair to say you didn't
16 review it before your deposition here today?

17 A. That is correct, I did not review any
18 images on that disk.

19 Q. Other than the radiographic images that are
20 on the CD that is entitled "Helen Cohen CT Scan
21 3/3/06," did you review any other radiographic
22 images of Helen Cohen?

23 MR. GDANSKI: Object to form.

24 A. I was at a meeting in Florida, and I got
25 home and went to work. So I got home about nine
0016

1 o'clock Monday, and this was waiting for me. So I
2 put a few in my computer, not very successful, and
3 actually really -- I think the first one actually
4 had some ultrasounds that I can't even read, even if
5 I had a good computer. Then I put two in there, and
6 there was nothing productive that came out of that.

7 Q. So when you say you reviewed this, what
8 you're pointing to is there's a white envelope or
9 packet that you brought to the deposition; is that
10 right?

11 A. Yes. I think there are ten or 11 CDs, and
12 this was the piece of paper that accompanied it.

13 Q. Okay. So let's count them. We're talking
14 about the CDs that have the imaging in the white
15 envelope that you received on Monday?

16 A. Yes.

17 Q. If you could wait until I finish asking,
18 and I will do the same with you. That way the court
19 reporter doesn't string us both up. Okay?

20 A. I apologize.

21 Q. That's okay. Now, the CDs that you
22 received on Monday that you were not able to look at
23 because of the images were not accessible to you,
24 those CDs, there's 14 of those?

25 A. Yes.

0017

1 Q. Also in the white envelope was a one-page
2 document transmittal to you from Mr. Gdanski who
3 represents the Plaintiff?

4 A. Yes.

5 Q. Let me do some housekeeping real quick and
6 I'm going to mark all of these for future reference
7 so we can talk about them during the deposition.

8 You understand you have been identified as
9 an expert witness on behalf of the Plaintiff in the
10 Helen Cohen case?

11 A. I do.

12 Q. You understand we're here to question you
13 about the opinions that you will offer at trial of
14 this case?

15 A. I do.
16 Q. You are prepared to offer and discuss the
17 opinions you will give at trial in this case?
18 A. I am.
19 (Document marked as Exhibit 1
20 for identification)
21 Q. Let me hand you what's been marked as
22 Exhibit 1 to your deposition, Dr. Strauss. Do you
23 see that that is a supplemental expert witness
24 disclosure made by the Plaintiff in this case, which
25 identifies you as an expert?

0018

1 A. Yes.
2 Q. Have you seen this document before today?
3 A. I believe I have. I saw it yesterday.
4 This would seem to be the set -- oh, just a copy.
5 Q. You have in one of your manila folders you
6 brought to the deposition is the same document
7 that's been marked as Exhibit 1?
8 A. Yes.
9 Q. Now --
10 MR. GDANSKI: Doctor, I know it's not a
11 normal way of talking, and I apologize, but you've
12 got to try a little better to wait for him to finish
13 so that you know exactly what question he's actually
14 going to ask and so that the court reporter can take
15 it down.

16 I'm more concerned just so you know what
17 question is going to be asked by counsel so that you
18 know what you're actually responding to at the end
19 of the question. So you've got to sit tight and
20 answer the question when he's done answering it.

21 Q. Mr. Gdanski is right. I'm a little slow
22 when I get my questions out, so you may want to wait
23 until it's all over before you answer. All right?

24 A. Yes.

25 Q. Now, the first time that you saw the expert

0019

1 disclosure that's been marked as Exhibit 1 was
2 yesterday?

3 A. Yes.

4 Q. So this was served in June of 2001. You
5 had not seen it before it was served on defense
6 counsel?

7 A. I did not see it.

8 Q. If you look at the subject matter that's
9 identified for you, Dr. Strauss, do you see that the
10 subject matters identified for you, in terms of what
11 opinions you will offer at trial, concern oncology
12 and epidemiology?

13 A. Yes.

14 Q. Those are the subject matters you intend to
15 address at trial?

16 MR. GDANSKI: Object to the form.

17 A. Yes.
18 Q. Now, it says that "Dr. Strauss will testify
19 as to the contents of decedent's medical records,
20 lung cancer, and any other smoking-related and
21 caused illnesses. Dr. Strauss will opine that the
22 decedent had and died from primary lung cancer which
23 was caused by smoking cigarettes."

24 Do you see that?

25 A. Yes, I do see it.

0020

1 Q. Is that a fair representation of the
2 opinions you plan to offer at the trial of this
3 case?

4 A. Yes.

5 Q. Now, is it fair to say other than oncology
6 and epidemiology, there aren't any other subject
7 matters that are identified for you in this expert
8 disclosure?

9 MR. GDANSKI: Object to the form.

10 A. I believe that is correct.

11 Q. So in terms of other issues, such as
12 addiction, you are not going to offer opinions at
13 trial about addiction with respect to Helen Cohen;
14 is that fair?

15 A. That is fair.

16 Q. And you're not planning on offering any
17 opinions at the trial of this case, and have not
18 been asked to offer any opinions at the trial of
19 this case, in terms of the design of any tobacco
20 companies' cigarettes; is that fair?

21 A. We didn't talk about it, but I don't think
22 so.

23 Q. Those subject matters are also not
24 identified in your expert disclosure; is that true?

25 A. Yes.

0021

1 Q. So is it fair to say you have been not
2 asked to and will not opine on those subject matters
3 at trial?

4 A. I believe it is fair to assert that.

5 Q. With respect to the phrase in your
6 disclosure that says "any other smoking-related and
7 caused illnesses," do you know what that refers to?

8 A. Yes.

9 Q. What does that refer to?

10 A. Chronic obstructive pulmonary disease,
11 COPD, emphysema, bronchitis; Predominately that. I
12 believe she also had some coronary artery disease.
13 She had a stroke.

14 Q. Do you plan to offer any opinions about
15 COPD, coronary artery disease or stroke at trial?
16 Let's start there first.

17 A. Depends whether I'm asked.

18 Q. Have you been asked by Plaintiff's counsel

19 to offer any opinions at trial concerning those
20 subject matters?

21 MR. GDANSKI: Object to form.

22 A. We talked about COPD, and she certainly had
23 COPD and she was debilitated by COPD, but she died
24 of lung cancer.

25 Q. So is it fair to say you will not offer an
0022

1 opinion at trial that Helen Cohen passed away
2 because of COPD?

3 A. That is correct.

4 Q. Do you believe that she had any other
5 disease or disease process, other than primary lung
6 cancer, which caused or contributed to her death?

7 A. No.

8 Q. With respect to today's deposition we
9 served -- Defendants served on Plaintiff's counsel a
10 Notice of Taking Deposition Duces Tecum. That's
11 been marked as Exhibit 2 to your deposition. Have
12 you seen Exhibit 2 before today, doctor?

13 (Document marked as Exhibit 2
14 for identification)

15 MR. GDANSKI: You have a lot of paper here.

16 A. Yes.

17 MR. GDANSKI: We'll put this over here.

18 Q. So you had a copy of that in the file that
19 you brought here today?

20 A. Correct. I received it yesterday.

21 Q. Did you go through the document request
22 that was attached to the Notice of Deposition to
23 identify responsive documents and bring them here to
24 your deposition?

25 A. I did, yes.

0023

1 Q. Now, I think we've already covered -- if we
2 can go through that real quickly, Dr. Strauss --
3 we've already covered Item 1 on Schedule A, I
4 believe, where you told us you brought your entire
5 file concerning Helen Cohen to the deposition; is
6 that right?

7 A. Yes.

8 Q. So on Item 2, is it fair to say that you
9 also brought your entire file on Helen Cohen that's
10 responsive to Item 2 here to the deposition?

11 A. Let me just reread it.

12 MR. GDANSKI: Object to the form.

13 A. Yes.

14 Q. Have you prepared any summary of Helen
15 Cohen's case for any reason?

16 MR. GDANSKI: Object to the form.

17 A. I did not prepare a report. I did prepare
18 notes that I took as I was reviewing the records,
19 which I had a moment ago. These are my notes.

20 Q. May I see them?

21 A. Yes.

22 Q. Is it all right with you, Dr. Strauss, if I
23 put an exhibit sticker on this one?

24 A. That would be fine, although I may need to
25 refer to it.

0024

1 Q. That's okay. We'll get a copy of it. I'm
2 going to put Exhibit 3 on your typed notes that are
3 dated November 13, 2012, concerning the Helen Cohen
4 case; is that fair?

5 A. That's correct.

6 Q. This was typed notes that you made as you
7 went through and reviewed the medical records,
8 radiographic images and other information that you
9 reviewed about Helen Cohen?

10 A. Correct.

11 (Document marked as Exhibit 3
12 for identification)

13 Q. When did you prepare this?

14 A. I have one document that's ongoing. I
15 believe the first time that I got records -- we
16 spoke in June -- was July 21st. I can't remember
17 whether I might have done it based upon a few
18 conversations. I actually might very well have. So
19 I might have started in June. Any time I update it,
20 I just save it with today's date on the top.

21 Q. When do you believe the first time is you
22 were contacted about the Helen Cohen case?

23 A. I don't specifically remember. I start
24 keeping records when I'm reviewing something that I
25 might theoretically bill for, and the first time I

0025

1 did that was July 21st. Another thing you asked me
2 to bring was these records, and that has that
3 spreadsheet.

4 Q. July 21, 2012 is when you first received
5 materials on the Helen Cohen case?

6 MR. GDANSKI: Object to form.

7 A. It doesn't mean I came that day, but that's
8 the first day I opened it and started reviewing it.

9 Q. Do you have any independent recollection at
10 all of having discussed the Helen Cohen case with
11 anyone, including Plaintiff's counsel, before June
12 of 2012?

13 MR. GDANSKI: Object to the form.

14 A. I don't remember when the phone call came.
15 Taylor McParland, I'm not sure if I pronounced her
16 name, but she's either a parallel or nurse who works
17 with Jonathan. She contacted me. She asked if I
18 would be willing to look at the case. She gave me a
19 thumbnail, and I don't have the date, but it was
20 probably in June. I don't know for a fact.

21 Q. Of 2012?

22 A. Yes. It wasn't a long time ago.

23 Q. What thumbnail did she give you about the
24 Helen Cohen case?

25 A. Actually, on my notes I actually have her
0026

1 name and date of birth, and that's probably my
2 review of the records, and there's a few notes above
3 that. I don't specifically recall this, but I
4 suspect those are the notes that I took from the
5 telephone conversation.

6 Q. You're referring to what's typed up on
7 Exhibit 3 that's under the heading "Taylor McParland
8 and Attorney Jonathan Gdanski"?

9 A. Yes.

10 Q. So those are the two sections you believe
11 provided the thumbnail from Taylor McParland --
12 those two sections comprise the notes that you took
13 from the thumbnail of information that the paralegal
14 at Mr. Gdanski's office provided you?

15 A. I don't have a specific recollection.
16 Usually when somebody calls me and I'm going to get
17 involved, I would probably open up a file, took her
18 name, phone number. I had not spoken to Jonathan at
19 that point in time. I don't specifically remember
20 that, but my suspicion is, looking for his notes
21 now, that those notes predated actually getting the
22 records.

23 Q. Now, you also have a letter?

24 A. It's a bill.

25 Q. Did you bring with you here today, Dr.

0027

1 Strauss, any correspondence that you've had with
2 Plaintiff's counsel?

3 A. I have not had any except for that and
4 everything like the correspondence I got when I got
5 these disks was this one piece of paper, and I think
6 there might be another comparable piece of paper.
7 But there's no correspondence, written
8 correspondence, that has any substantial information
9 in it.

10 Q. Do you have with you the transmittal letter
11 sent from Plaintiff's counsel's office that
12 delivered the medical records to you for the first
13 time?

14 A. I don't know. I don't think I threw
15 anything away. I don't remember whether I had or
16 not. As I went through this last night, I probably
17 would have put it in the front. Actually, this is
18 not dated, and I'm not sure if it came with the
19 medical records.

20 MR. DAVIS: I'm going to mark as Exhibit 4
21 this document transmittal sheet that you found
22 within your stack of medical records; is that right?

23 A. Yes.

24 MR. DAVIS: That's going to be Exhibit 4.

25

0028

1 (Document marked as Exhibit 4
2 for identification)

3 Q. I'm going to mark as Exhibit 5 to your
4 deposition the August 6, 2012 letter from you to
5 Taylor McParland at the Schlesinger law offices
6 which outlines and attaches your invoices; is that
7 right?

8 A. Correct. The letter is the invoice and the
9 attachment is just the spreadsheet.

10 Q. All right.

11 (Document marked as Exhibit 5
12 for identification)

13 Q. Can you tell us how much time you spent
14 looking at the materials on the Helen Cohen case in
15 order to render the opinions you offered at trial?

16 A. It was about, including meeting yesterday
17 and just reviewing everything one more time in
18 preparation for today, I think I've actually spent
19 about 16 hours.

20 Q. How long did the thumbnail phone call with
21 the paralegal at Mr. Gdanski's office last when you
22 were first contacted?

23 MR. GDANSKI: Object to the form.

24 A. I don't recall specifically. I suspect 15
25 to 20 minutes.

0029

1 Q. Were there subsequent phone conversations
2 with the paralegal Taylor McParland or anyone else
3 at Mr. Gdanski's office about the Helen Cohen case?

4 MR. GDANSKI: Object to the form.

5 A. I think I might have noted that, and
6 probably did. Not many. I talked to Taylor on July
7 30th.

8 Q. Of this year?

9 A. Yes. Everything is this year. Taylor
10 called me on October 16th, indicating that she was
11 starting to send out a bunch of depositions. Those
12 were the treating physicians, and that we were going
13 to tentatively set up this deposition.

14 I spoke to Jonathan on 10/24. My
15 recollection is that's the first time I spoke to
16 him. That was a scheduled conference call.

17 Q. Jonathan is Mr. Jonathan Gdanski?

18 A. Correct, Mr. Gdanski. We spoke very
19 briefly once or twice last week about the
20 depositions, and we spoke yesterday.

21 Q. What was the scheduled conference call
22 about?

23 A. Just that we had never really discussed my
24 opinion in any detail.

25 Q. How long did that conference call last?

0030

1 A. I don't specifically recall, but it was
2 probably 45 minutes.
3 Q. Do you remember anything that Mr. Gdanski
4 or some other person from his law firm said about
5 the case during that conference call?

6 A. I believe there was --

7 MR. GDANSKI: Object to the form.

8 A. -- somebody else there. I didn't get the
9 name. Nothing specific. I took notes on that, and
10 I can review the notes, but I don't specifically
11 recall anything. We were talking about the case.

12 Q. Other than that conference call, can you
13 remind me again were there any other phone
14 conversations with anyone at the Schlesinger law
15 firm?

16 MR. GDANSKI: Object to the form.

17 A. I do remember.

18 Q. When did that happen?

19 A. Thursday or Friday.

20 Q. What was that about?

21 A. Actually, that was with Taylor, and I
22 wanted to speak with Jonathan. I got the
23 depositions of Mr. David Cohen, her husband's
24 deposition. It was the longest deposition I had
25 ever seen, 846 pages. I started reading it last

0031

1 Thursday.

2 I wanted to find out some very specific
3 information about Mrs. Cohen's smoking, what she
4 smoked, when she started, and I referred back to
5 some specific pages that are noted in the deposition
6 -- I'm sorry, that are noted in my notes about that.

7 Q. Can you point me to where that's reflected
8 in your notes that are marked as Exhibit 3?

9 A. Page 5, right there.

10 Q. I see. So did you read the entire
11 deposition of David Cohen?

12 MR. GDANSKI: Object to form.

13 A. You have to define "read." I looked at the
14 depositions. It's interesting to read about family
15 things, and sometimes I get interested in that, but
16 I skimmed it and tried to sort of pick out important
17 parts.

18 Q. Now, what information did you ask --

19 A. My wife is a reading teacher, and she would
20 be offended if I said I read it.

21 Q. The pages relating to the smoking --

22 MR. GDANSKI: Object to the form. You can
23 finish, but you may have misspoke.

24 MR. DAVIS: I'm reading right from the
25 document.

0032

1 Q. It says here "Pages related to his
2 smoking," and it identifies three sections in Mr.

3 Cohen's deposition?

4 A. Yes.

5 Q. When you say "his," that meant her, right?

6 A. That's correct.

7 Q. You meant Helen Cohen, right?

8 A. Yes, I did.

9 Q. So did you ask Mr. Gdanski's office to
10 direct you to these pages that had this information?

11 A. I did request that.

12 Q. Did you read any other parts of David
13 Cohen's deposition, other than these three sections
14 that are identified on Page 5 of Exhibit 3?

15 A. I did.

16 Q. So if you had to estimate what portion of
17 David Cohen's deposition you actually read, what
18 would it be?

19 MR. GDANSKI: Object to the form.

20 A. I picked up each of the parts. I quickly
21 leafed through it to see if there's anything that
22 struck me. It's very hard to do. I perhaps spent
23 an hour and a half totally on that deposition. If I
24 read it, it would take three days.

25 Q. Did you ask Mr. Gdanski's office to direct
0033

1 you to any other part of David Cohen's deposition
2 testimony?

3 A. No.

4 Q. What were you looking for when you were
5 asking to be directed to certain pages of David
6 Cohen's deposition testimony about Helen Cohen?

7 A. Well, you know, I'm sort of curious to know
8 in as much detail as possible exactly what her
9 smoking history was, what she started, how much she
10 started with, how much she smoked when she started,
11 when she was smoking a lot, what she smoked. It's
12 sort of an interest of mine.

13 My fellows get tired of me when I'm talking
14 to a lung cancer patient, did you smoke, yes, and
15 then I will spend a 15 to 20 minutes getting to the
16 smoking history.

17 Q. After looking at the medical records on
18 Helen Cohen, do you agree that she stopped smoking
19 sometime in 1990?

20 MR. GDANSKI: Object to form.

21 A. I have no reason to disagree. I don't know
22 that independently.

23 Q. Do you know of any other evidence that
24 suggests that she did not stop smoking sometime in
25 1990?

0034

1 A. No.

2 Q. Let's see if we can go back to our Schedule
3 A so he can round this out.

4 MR. GDANSKI: You're not making much

5 progress.

6 Q. Other than what we've marked as Exhibit 3
7 to your deposition, which is your typed notes, have
8 you written any other summary, review or overview,
9 of the Helen Cohen case?

10 A. No.

11 Q. Looking at Item 4 on Schedule A of the
12 deposition notice, have you brought with you today
13 any list of scientific or scholarly publications
14 that you reviewed or relied upon in forming your
15 opinions in this case?

16 A. No, because I reviewed a tremendous amount,
17 written a tremendous amount. I've written reports,
18 but I didn't read any of the specific articles in
19 forming an opinion on this case.

20 Q. Did you bring any scientific articles with
21 you here to the deposition?

22 A. No.

23 Q. Item 5 asks for all other documents,
24 literature, deposition transcripts and/or data you
25 reviewed or relied upon to form your opinions in

0035

1 this case. Have you brought all of that information
2 with you here to the deposition?

3 A. Yes.

4 Q. You have brought with you a stack of
5 depositions?

6 A. Yes.

7 Q. Can I see those? As you're handing them to
8 me, can you tell me whether or not you made any
9 handwritten notes or notations on any of them?

10 A. When I read something -- well, the ones I
11 read first I read in a little more detail. This is
12 usually the way I mark it, and actually I did.
13 Sometimes I will actually circle that.

14 Q. So just going through this quickly --

15 A. No particular order, by the way.

16 Q. -- we've got Volumes 1 through 4 of David
17 Cohen's deposition in here?

18 A. Yes.

19 Q. We have the deposition of Edward Cohen; is
20 that right?

21 A. Yes.

22 Q. Did you read that?

23 A. Yes, I glanced through it. I looked at it.

24 Q. Did you read it or skim it?

25 A. I skimmed it.

0036

1 Q. We have Volume 2 of Edward Cohen's
2 deposition, and that would be true for that volume
3 as well?

4 MR. GDANSKI: Object to the form.

5 A. Yes.

6 Q. The same for Volume 3 of Edward Cohen's

7 deposition?

8 MR. GDANSKI: Object to the form.

9 A. Yes.

10 Q. We have Volume 5 of David Cohen's
11 deposition, Volume 6 of David Cohen's deposition,
12 Volume 7 of David Cohen's deposition.

13 A. He's a very prolific author.

14 Q. You also have two volumes of Dr. Jacobson's
15 deposition in here?

16 A. Yes.

17 Q. Did you read all of that deposition?

18 A. I think I got the first volume of Dr.
19 Jacobson's deposition when I got the first set of
20 depositions, and then I got the second volume when I
21 got the second. That looks like the first
22 deposition I read.

23 Q. Did you read Volume 2 of Dr. Jacobson's
24 deposition?

25 A. I skimmed that one, too.

0037

1 Q. Did you read the deposition of Dr.
2 Wasserman or did you skim it?

3 A. Probably skimmed it. I didn't take any
4 notes.

5 Q. You have in here the deposition of Dr.
6 Robert Dudley?

7 A. Yes.

8 Q. Did you read that deposition or did you
9 skim it?

10 A. I'm not sure if this is responsive. My
11 notes of the deposition, probably somewhere in
12 between. I have "300 pages. Nothing useful said."
13 That was my subjective opinion.

14 Q. All right. Now, you have in here the
15 deposition of Dr. Alexandra Santini. Did you read
16 that deposition or did you skim it?

17 A. Skimmed it.

18 Q. You have in here the deposition of Dr.
19 Deborah Baum. Did you read that or skim it?

20 A. That was actually the second one. I read
21 it. So I guess I read that, because there are some
22 notes on it.

23 Q. You've put some red flags on this
24 deposition?

25 A. Yes. That's the way I look at medical

0038

1 records or depositions.

2 Q. And you've put some other flags on these
3 other depositions in here, right?

4 A. Yes. I compete with my grandson who loves
5 those little stickers.

6 MR. DAVIS: I will put these aside and make
7 a decision about whether I'm going to mark it or
8 not.

9 Q. Now, you also have a green folder that you
10 brought with you to the deposition that contains
11 Helen Cohen's medical records?

12 A. Yes.

13 Q. Did you make handwritten notes on any of
14 her records?

15 A. Probably not, just because I marked what I
16 wanted to be able to find easily, and my typed notes
17 were -- my handwriting is not very good.

18 Q. Did you flag any of those medical records?

19 A. I certainly did.

20 Q. Okay. Is it all right with you if I mark
21 that entire folder?

22 A. That's fine, yes.

23 Q. We're going to mark that as Exhibit 6.

24 (Document marked as Exhibit 6
25 for identification)

0039

1 Q. With respect to the medical records that
2 you received from Plaintiff's counsel, who made the
3 decision about what records to send you or not to
4 send you?

5 MR. GDANSKI: Object to the form.

6 A. I assume Mr. Gdanski, but I don't know that
7 for a fact.

8 Q. Did you ask for all the medical records and
9 radiology images on Helen Cohen?

10 A. I did not.

11 Q. Why did you not ask for all the medical
12 records?

13 A. It looks like a woman who was 81, 82, had a
14 huge number of medical problems, and I was
15 interested -- I wasn't being asked to comment about
16 her normal pressure hydrocephalus or stroke,
17 hypertension or diabetes. I was interested in her
18 lung cancer and what killed her. So I actually
19 think I probably specifically asked to be given
20 focused records, records that would be useful to me.

21 Q. What huge medical problems did Helen Cohen
22 have?

23 MR. GDANSKI: Object to the form.

24 A. Well, I think, frankly, in my notes, which
25 I mostly got from the last hospital admission, and

0040

1 some others as well, the past medical history
2 included, I think, 22 things.

3 So she had asthmatic bronchitis, she had
4 chronic obstructive pulmonary disease, she had
5 emphysema, she had hypothyroidism, she had a fibroid
6 tumor of the uterus, she had a hiatal hernia, she
7 had reflux esophagitis, she had GERD, a
8 gastrointestinal reflux disease, she had a
9 penicillin allergy, she had had a cholecystectomy,
10 she had carpal tunnel syndrome, she had had

11 hyperparathyroidism and hypercalcemia, she had
12 osteoporosis, she had status post hospitalization
13 for a transischemic attack, possible early dementia,
14 a recent hospitalization at DMC, is Delray Medical
15 Center, for staph sepsis. What's missing is recent
16 history of pneumonia and the normal pressure
17 hydrocephalus. She had a lot of medical problems.

18 Q. I understand your testimony that Helen
19 Cohen had cancer. So if we set that aside, she did
20 not have cancer, how would these huge medical
21 problems that you've identified affect her life
22 expectancy?

23 MR. GDANSKI: Object to the form of the
24 question.

25 A. Assuming she didn't have cancer?

0041

1 Q. Yes, sir.

2 A. It depends on severity. This list doesn't
3 make an indication of severity, but I knew she had
4 severe -- well, she had had what was felt to be
5 severe COPD, and was oxygen dependent for a period
6 of time. So that's certainly a disease that would
7 likely have shortened her lifespan somewhat. But
8 again, I don't have a clear handle on the severity
9 of that.

10 Many of the others, you know, she was 81
11 years old. She wasn't going to live forever. I
12 can't answer that question.

13 Q. Is it fair to say you have not formed any
14 opinions about how each of these individual huge
15 medical problems that you've identified either
16 individually or collectively would have impacted
17 Helen Cohen's lifespan?

18 A. That's correct. Certainly you see lots of
19 patients who may have a list of 20 problems and who
20 are really pretty healthy, and other patients who
21 have one medical problem and are done.

22 Q. If you took out cancer and you took out
23 COPD, would you agree that Helen Cohen would still
24 have a list of huge medical problems that would have
25 affected her life?

0042

1 MR. GDANSKI: Object to the form. Improper
2 hypothetical.

3 A. No. I'm not disagreeing, but I haven't
4 looked into them with any degree of forming
5 opinions.

6 Q. I understand. So you can't say one way or
7 the other to answer that question?

8 A. That is correct.

9 Q. You've also provided us a list of trial
10 testimony in depositions since 1998?

11 A. Yes.

12 Q. Is it all right if I mark that Exhibit 7?

13 A. That's fine.
14 (Document marked as Exhibit 7
15 for identification)
16 MR. GDANSKI: What was Exhibit 6?
17 MR. DAVIS: The medical records.
18 Q. Can you tell me how many cases you
19 currently have where you've been identified as an
20 expert that involved the Schlesinger law firm?
21 A. Simple. One. Other cases or all cases?
22 This is the only one.
23 Q. I don't want the substantive conversations,
24 but you have talked with Plaintiff's counsel from
25 the Schlesinger firm or the paralegal at the
0043
1 Schlesinger firm about other cases, other tobacco
2 cases?
3 MR. GDANSKI: Object to the form.
4 A. No.
5 Q. You have not?
6 A. No. Only insofar as Taylor commented that
7 they asked to look at other cases.
8 Q. Have you worked with the Schlesinger law
9 firm on previous cases as an expert?
10 A. I don't believe so, no.
11 Q. Do you know how they came to ask you to
12 become involved as an expert in the Helen Cohen
13 case?
14 A. I don't. I might have asked, but I don't
15 remember the answer. I'm not even sure I asked.
16 Q. Your list of depositions identified the
17 Samuel Biondolillo case as the last case that you
18 gave a deposition in in July of 2011?
19 A. Yes.
20 Q. Was that your last deposition?
21 A. Yes.
22 Q. So it doesn't need to be updated in any
23 way?
24 A. No. Except for today.
25 Q. Your last trial testimony identified on
0044
1 Exhibit 7 is in the estate of Michele Brown-Cohen
2 versus nurse practitioner Elizabeth Dobrowski?
3 A. Yes.
4 Q. That was February 22, 2012?
5 A. Yes.
6 Q. You've also brought your current version of
7 your curriculum vitae?
8 A. Yes.
9 Q. I'm going to mark that as Exhibit 8. Is
10 that all right with you?
11 A. Yes.
12 (Document marked as Exhibit 8
13 for identification)
14 Q. Does this CV need to be updated in any way?

15 A. Only because I just got -- one more article
16 needs to be added to it.

17 Q. Can you tell me what that article is?

18 A. It's on screening for lung cancer.

19 Q. Has that been published?

20 A. It has not. It's going to be published in
21 the Journal of Surgical Oncology.

22 Q. When is it scheduled to be published?

23 A. I don't know exactly, but I suspect in the
24 next -- my article got submitted two days ago. It
25 was six weeks late. I suspect it will get published
0045

1 in early 2013.

2 Q. Looking back at Schedule A, I think we've
3 made it all the way, if we can get to Item 7 that
4 says, "All publications, literature, data, medical
5 records or other documents forwarded to you by any
6 lawyer representing the Plaintiff in this case."

7 Have you brought here to the deposition all
8 responsive information in Item 7?

9 A. Yes. There are none.

10 Q. Other than what you've brought here?

11 A. Correct.

12 Q. Now, Item 8 is your CV, and we've gone
13 through that. You've brought it. Item 9 is a copy
14 of any invoices, receipts, statements or any other
15 records or materials reflecting the compensation in
16 regard to being paid for your study and testimony in
17 this case.

18 A. Yes.

19 Q. You brought your invoices, right?

20 A. Singular, yes.

21 Q. How much do you charge for deposition
22 testimony?

23 A. Usually \$500.

24 Q. Is that how much you're charging today?

25 A. Probably.
0046

1 Q. Do you charge differently if you appear at
2 trial?

3 A. No.

4 Q. Do you require a retainer?

5 A. No.

6 Q. I think you told me that you estimated you
7 spent about 15 or 16 hours on the Helen Cohen case?

8 A. Yes.

9 Q. So in order to estimate your fees to date
10 outside of this deposition, it would be 16 hours
11 times 500?

12 A. Yes.

13 Q. You mentioned that there was a meeting that
14 you had with Mr. Gdanski yesterday?

15 A. Yes.

16 Q. How long did that meeting last?

17 A. I think it was just a little bit shy of two
18 hours.
19 Q. Where did it take place?
20 A. At Tufts Medical Center where I work down
21 the block.

22 Q. Did Mr. Gdanski show you any medical
23 records or other documents during that meeting?

24 A. Well, he gave me that disk, which has all
25 the medical records. There was one medical record,
0047

1 which I thought was in the front, but maybe not --
2 oh, here is another letter.

3 Q. You handed me another document, a
4 transmittal letter from Mr. Gdanski to you; is that
5 right?

6 A. Yes.

7 Q. I'm going to mark that as Exhibit 9.
8 (Document marked as Exhibit 9
9 for identification)

10 A. I think it's this.

11 Q. The medical record that Mr. Gdanski showed
12 you yesterday at your meeting was documents Bates
13 stamped 210507119000002, which is the August 22,
14 2005 transvaginal ultrasound performed on Helen
15 Cohen?

16 A. Yes.

17 Q. There's several documents that are stapled
18 together. Was it just that document or was it the
19 whole stack?

20 A. Oh, it was of course the whole stack.
21 There might have been something else, and I'm not
22 sure what it was, but that was the major thing that
23 I looked at.

24 Q. When did you form your opinions about
25 whether or not Helen Cohen had primary lung cancer?
0048

1 MR. GDANSKI: Object to the form.

2 Q. Let me back up. Is it your opinion that
3 Helen Cohen had primary lung cancer?

4 A. Yes.

5 Q. When did you form that opinion?

6 MR. GDANSKI: Object to the form.

7 A. I presume it was when I first looked at the
8 medical records sometime in the July, even though I
9 had been told that was the hypothesis or supposition
10 here before that.

11 Q. You were told by Plaintiff's counsel that
12 that was the hypothesis or supposition in that case?

13 A. That's why they were contacting me.

14 Q. Is it fair to say you formed your opinion
15 about whether Helen Cohen had primary lung cancer
16 before seeing this August 22, 2005 transvaginal
17 ultrasound on Helen Cohen?

18 MR. GDANSKI: Object to the form.

19 A. I had been either in a previous -- I was
20 just showed that yesterday, but I had heard about it
21 before yesterday. I can't recall specifically
22 whether it was Taylor or Mr. Gdanski who told me
23 that. I think perhaps both did.
24 Q. What do you remember either Mr. Gdanski or
25 the paralegal telling you about this transvaginal
0049

1 ultrasound?
2 A. I don't think they told me anything --
3 well, what I was informed was that the tobacco world
4 tried to make a point she had ovarian cancer rather
5 than lung cancer.

6 Q. Do you remember being told anything else
7 about that particular transvaginal ultrasound?

8 MR. GDANSKI: Object to the form.

9 A. Other than the fact that it might have
10 shown large ovaries.

11 Q. Do you understand one of the things that
12 you were to do in the case was to dismiss or argue
13 against that Helen Cohen had primary ovarian cancer
14 or some other form of cancer that started outside
15 the lung and metastasized to the lung?

16 MR. GDANSKI: Object to the form.

17 A. Before answering that, could I ask you to
18 repeat the question so I'm making sure I'm answering
19 your precise question.

20 MR. DAVIS: Could you read the question
21 back.

22 (Reporter read back pending question)

23 MR. GDANSKI: I object.

24 A. The short answer to that question is yes.
25 I presume I'll discuss it more later.

0050
1 Q. Now, what did Mr. Gdanski, the Plaintiff's
2 counsel, discuss with you yesterday about this
3 transvaginal ultrasound?

4 A. Other than giving it to me, because I
5 hadn't seen it, I think that was it. I might have
6 made some comments to him.

7 Q. What comments did you make to him?

8 MR. GDANSKI: Object to the form. About
9 the ultrasound?

10 MR. DAVIS: Yes, about the ultrasound.

11 A. Are we getting into the issue of whether
12 she had ovarian cancer now or is that something that
13 --

14 Q. I'm just asking what you recall telling him
15 yesterday.

16 A. With all due respect, the tactics of big
17 tobacco are always to sort of try to prove nobody
18 has lung cancer and everybody's got something else.
19 I don't believe -- I mean, there's no basis for the
20 assumptions she had ovarian cancer. She certainly

21 didn't have ovarian cancer. We can talk about that
22 now or later.

23 Q. Is that what you told Mr. Gdanski
24 yesterday?

25 A. Probably.

0051

1 Q. Is there any situation that you can
2 conceive of where there's a smoker who develops some
3 cancer, and it's suspected that it originates in the
4 lung, but it's unsure where you would say that, in
5 fact, the cancer started elsewhere and spread to the
6 lung?

7 MR. GDANSKI: Object to the form.
8 Inappropriate hypothetical.

9 A. Maybe I can request that question to be
10 asked again.

11 Q. Absolutely.

12 (Reporter read back pending question)

13 MR. GDANSKI: Object to the form. I object
14 to the question.

15 THE WITNESS: Should I answer it or not?

16 MR. GDANSKI: You can answer the question
17 if you're able to.

18 A. What I would have to say, without the
19 statement that was suspected of being lung cancer
20 but originated elsewhere, the answer is absolutely
21 yes. When you say suspected of starting in the
22 lung, then there's some reason it is suspected.

23 Just having cancer in the lung doesn't mean
24 she had lung cancer, because other cancers spread to
25 the lung all the time.

0052

1 Q. If a tobacco company presented evidence
2 that a cancer started outside the lung and
3 metastasized to the lung and was therefore not
4 primary lung cancer, can you ever conceive of a
5 situation in a court case where you would agree with
6 them?

7 MR. GDANSKI: Object to the form.
8 Inappropriate hypothetical.

9 A. The fact that I have accepted a role in
10 that court case means that I've already formulated
11 an opinion that that's incorrect. So again, without
12 that fragment of the sentence, you know, in a court
13 case that I'm involved in, it happens all the time.
14 I see it all the time.

15 Q. Let's change the hypothetical then. Let's
16 say it's not a court case. That a tobacco company
17 presents evidence that a cancer originated outside
18 of the lung and spread to the lung, can you conceive
19 of a situation where you would agree with that
20 position?

21 MR. GDANSKI: Object to the form.
22 Inappropriate hypothetical.

23 A. Why would a tobacco company bring up that
24 hypothesis if it were not for legal issues?

25 Q. Well, let's just take a hypothetical.

0053

1 Let's say it's not involved in a court case. You
2 have the same facts about medical records for a
3 particular patient, and so does the tobacco company.
4 You both look at the evidence. The tobacco company
5 looks at the medical records and says, I think this
6 is a cancer that originated outside the lung and
7 spread to the lung. You've looked at that same
8 information.

9 Can you ever conceive of a situation where
10 you would agree with the tobacco company?

11 MR. GDANSKI: Object to form.
12 Inappropriate hypothetical. Calls for speculation.

13 A. The answer is, I see this every day, when
14 tobacco companies are not involved. So I see that
15 all the time. The tobacco industry tremendously
16 changes the way I would view that.

17 Q. Why would it tremendously change the way
18 you would view that?

19 A. I would only be asked to review a tobacco
20 case if there was an allegation that it was lung
21 cancer. So there is more than just the fact that
22 the patient had cancer that involved the lung, and
23 was a smoker. More than half of people in our
24 country were smokers at some time in their life.

25 Q. I'm taking out the litigation altogether.

0054

1 I'm just saying you're looking at a set of medical
2 evidence, a tobacco company is looking at a set of
3 medical evidence the same that you are, and the
4 tobacco company determines that it is a cancer that
5 originated outside the lung and spread to the lung.

6 Can you ever conceive of a situation where
7 you would agree with that tobacco company?

8 MR. GDANSKI: Object to the form.

9 A. Your question makes no sense. Maybe
10 tobacco would be interested in coming to lectures I
11 give about the nature of cancer and the nature of
12 cancers of the lung. But the involvement of the
13 tobacco companies, you know, tobacco doesn't have
14 the greatest reputation for honesty.

15 So your question doesn't make any sense to
16 me. The situation you're describing happens all the
17 time and I see it all the time.

18 Q. So are you saying, Dr. Strauss, that if a
19 tobacco company came to you outside of litigation,
20 presented you with evidence that a cancer originated
21 outside the lung and spread to the lung, that you
22 would be biased against finding that that was the
23 case in agreeing with them?

24 MR. GDANSKI: Object to form.

25 A. I didn't say anything like that. I'm
0055

1 understanding that if a -- the reason that a tobacco
2 company would be interested in any kind of case,
3 unless it was for academic purposes or educational
4 purposes, is because there's been some allegation.

5 Q. Again, I'm saying take out litigation. Set
6 that aside. As I hear what you're saying is, if a
7 tobacco company came to you, presented you with
8 evidence that a cancer started outside the lung and
9 spread to the lung, the mere fact that they are a
10 tobacco company would not prevent you from agreeing
11 with them; is that true?

12 MR. GDANSKI: Object to form.
13 Inappropriate hypothetical. Calls for speculation.

14 A. It's very hard to answer your question. If
15 the question were is it possible to a long-term
16 smoker, somebody who smoked a hundred pack years,
17 developed cancer which involved the lung, is it
18 possible that she had a cancer that originated
19 elsewhere, the answer is absolutely, yes. There's
20 zero question about that.

21 What makes no sense is, let's forget about
22 litigation, but the tobacco company is coming to me
23 and raising this hypothesis. So it makes no sense.

24 Q. Is it fair to say that you distrust the
25 tobacco companies which affects your ability to be
0056

1 able to consider the hypothetical that I presented
2 to you?

3 MR. GDANSKI: Object to the form.

4 A. Do I distrust the tobacco companies
5 profoundly, but that doesn't necessarily mean that
6 if you told me it's a nice day, it actually is a
7 nice day. It's a little chilly. But, yes, I'm not
8 sure this is useful to you or me.

9 Q. But when it comes to the question of
10 whether or not you could agree with a tobacco
11 company on whether or not a cancer is primary lung
12 cancer or a cancer that originated elsewhere and
13 spread to the lung, you can't conceive of a
14 situation where you would agree?

15 MR. GDANSKI: Object to the form. Asked
16 and answered. Calls for speculation. Misinterprets
17 prior testimony and inappropriate hypothetical.

18 A. It has not come up. I guess I can conceive
19 of it, but has never happened.

20 Q. You mentioned earlier that you see every
21 day that cancers originate outside the lung and
22 spread to the lung; is that right?

23 A. Yes.

24 Q. And is it fair to say that smokers or
25 people who have quit smoking in the past get primary
0057

1 ovarian cancer that's unrelated to their smoking?

2 MR. GDANSKI: Object to the form.

3 A. Yes, of course.

4 Q. And is it true that smokers or smokers who
5 have quit get primary gastric or stomach cancer that
6 spreads to the lung that's unrelated to their
7 smoking?

8 MR. GDANSKI: Object to the form.

9 A. Well, gastric cancer, you know, may have
10 some relationship to smoking. But gastric cancer
11 can spread to the lung, yes.

12 Q. And gastric cancer can spread to the lung
13 in patients who have smoked or who have quit in the
14 past and smoked and be unrelated to the smoking,
15 true?

16 MR. GDANSKI: Object to the form.

17 A. Yes.

18 Q. And it's also true that smokers who
19 currently smoke or who have quit in the past
20 developed primary breast cancer that spreads to the
21 lung, and that is unrelated to the smoking, true?

22 A. Yes.

23 Q. It's also true that smokers or smokers who
24 have quit in the past develop primary colon cancer
25 that spreads to the lung and is unrelated to the

0058

1 smoking, true?

2 A. Yes. Colon cancer, as opposed to rectal
3 cancer, usually doesn't spread to the lung without
4 first spreading to the liver. Rectal cancer can do
5 that.

6 Q. Now, in each of the situations I've
7 described to you for a smoker or a past smoker who
8 quit where they have those four disease processes
9 that start elsewhere and spread to the lung and
10 unrelated to smoking, patients unfortunately pass
11 away from those types of cancers because of the
12 metastases, true?

13 MR. GDANSKI: Object to form. Compound.
14 Mischaracterizes the prior testimony.

15 MR. FILBERT: We're objecting to form in
16 these cases?

17 MR. GDANSKI: I can state the basis.

18 MS. LUTHER: Only if we ask for it.

19 MR. FILBERT: We have been in many
20 depositions when we've had this discussion.

21 MR. GDANSKI: Are you saying I can't state
22 the precise basis for my objections?

23 MR. FILBERT: Object to form. That's the
24 rules.

25 Q. Do you understand my question, doctor?

0059

1 A. I did, but if you could repeat it.

2 Q. For each of those scenarios that I've

3 described for you where you have a primary ovarian,
4 a primary breast cancer, a primary colon cancer, a
5 primary gastric or stomach cancer that occurred in a
6 current or a smoker or a smoker who quit that then
7 spread to the lung, and that's unrelated to the
8 smoking, in each of those scenarios we unfortunately
9 have patients who pass away from each of those types
10 of cancers, true?

11 A. Sure.

12 MR. GDANSKI: I'm objecting to the last
13 question. I didn't get it in in time.

14 Q. Did you understand my question, doctor?

15 A. I did understand your question. May I grab
16 a refill.

17 MR. GDANSKI: We have been going a little
18 over an hour, so let's take a break.

19 (Recess)

20 BY MR. DAVIS:

21 Q. Are you ready to begin, doctor?

22 A. I am.

23 Q. We talked earlier about the CD disk that
24 was entitled "Cohen Med Rec" that you brought to the
25 deposition today?

0060

1 A. Yes.

2 Q. I'm going to mark that as Exhibit 10 to
3 your deposition.

4 A. Okay.

5 (CD marked as Exhibit 10
6 for identification)

7 Q. Did you review the medical records that are
8 on this disk prior to coming to the deposition
9 today?

10 MR. GDANSKI: Object to the form. Asked
11 and answered.

12 A. The answer is, I put it in my computer. I
13 looked at the list of files. I was told by Mr.
14 Gdanski that includes all the medical records,
15 probably 10,000 pages, and I just opened a couple at
16 random to make sure it opened. I looked at it, but
17 I didn't read anything. It doesn't affect my
18 opinions at all.

19 Q. Now, Exhibit 11 is going to be the CD disk
20 that's entitled "Helen Cohen CT Scan 3/3/06"?

21 A. Yes.

22 (Document marked as Exhibit 11
23 for identification)

24 Q. Exhibit 12 I'm going to mark as the
25 document transmittal sheet, which was in the white

0061

1 envelope that contained the 14 CDs of images that
2 you were not able to look at, correct?

3 MR. GDANSKI: Object to the form.

4 A. Right. I actually put two of them in, and

5 12 I didn't even try, and I couldn't actually --
6 when I saw it was an ultrasound, I couldn't really
7 open it, and I wouldn't want to. I can't read them.

8 (Document marked as Exhibit 12
9 for identification)

10 Q. Understood. But Exhibit 12 is a document
11 transmittal sheet from Mr. Gdanski to you that
12 enclosed the 14 disks of the radiographic images
13 that you were not able to review; is that right?

14 A. Yes.

15 Q. Okay. And then attached to that, to make
16 it a composite part of that exhibit, is going to be
17 the white envelope that came from Mr. Gdanski's
18 office to you. Okay?

19 A. Yes.

20 Q. Have you had any e-mails with anyone at the
21 Schlesinger law firm or other Plaintiffs' counsel's
22 offices representing the Plaintiff?

23 MR. GDANSKI: Object to the form. I don't
24 think you're asking for other cases, are you?

25 MR. DAVIS: Yeah, I'm happy to clear that
0062

1 up.

2 Q. With respect to the Helen Cohen case, have
3 you had any e-mail correspondence with the
4 Schlesinger law firm or any other law firm that is
5 representing the Plaintiff in the Helen Cohen case?

6 A. None that are memorable. I can't remember
7 whether after giving some specific dates I got an
8 e-mail about the deposition or I was just told. I
9 don't remember. It was nothing substantial.

10 Q. Do you still have that e-mail?

11 A. I'm not sure.

12 Q. Well, can you look for it, and if you find
13 it or other e-mails about the Helen Cohen case,
14 would you send those to Mr. Gdanski to produce to
15 us?

16 A. Sure. I get about 200 e-mails a day, and
17 about every three days I get a message from my
18 system administrator your e-mail is overstocked and
19 can't send. So I get rid of stuff. That wasn't
20 something that I would have specifically tried to
21 keep.

22 Q. Item 11 of the document request is a list
23 of cases which you have testified at either
24 deposition, trial or other hearing during the period
25 of 2008 to the present, and I believe you brought us
0063

1 a listing of that that we've already marked as an
2 exhibit, right?

3 A. Correct.

4 Q. Item 12, correspondence and communications,
5 including e-mails and faxes between you and your
6 assistants and the Plaintiff or decedent or their

7 attorneys in this case.

8 Do you have anything other than what you've
9 brought here or maybe some e-mails that you're going
10 to look for after the deposition?

11 A. I do not.

12 Q. And 13, I think you've brought us copies of
13 all the time records you have, right?

14 A. Yes.

15 Q. Have you had any --

16 A. Actually, I do have -- I did mean to print
17 it out. I printed out the letter, but I have an
18 ongoing spreadsheet. I did bring that.

19 Q. What's the spreadsheet of?

20 A. That's how I keep a record of my time.

21 Q. I see.

22 A. So that included the spreadsheet only
23 through July, the day I sent the letter.

24 Q. Can you provide Mr. Gdanski with an updated
25 version to provide to us?

0064

1 A. Sure. Up through, but not including this
2 deposition?

3 Q. Yes, sir.

4 A. Sure.

5 MR. GDANSKI: I reserve the right to object
6 to that, but let's keep going.

7 MR. DAVIS: That's fine. It's responsive
8 to the notice.

9 Q. Now, have you had any communications of any
10 kind, either on the phone or some other way, with
11 any of the other experts for the Plaintiff in this
12 case?

13 A. No.

14 Q. Do you know who they are?

15 A. I do not.

16 Q. Have you brought any -- looking at Item 15
17 -- have you brought any publications of your own
18 that are relevant to the issues in this case?

19 A. No.

20 Q. With respect to the CT scan that's been
21 marked as Exhibit 11 to your deposition, do you
22 intend to discuss any of the radiology findings at
23 trial?

24 MR. GDANSKI: Object to the form.

25 A. I suspect, yes.

0065

1 Q. Do you plan to discuss any of the images on
2 this disk dealing with the CT scan of Helen Cohen
3 taken on March 3, 2006?

4 MR. GDANSKI: Object to form.

5 A. Certainly I would expect to be discussing
6 the reports, and if asked, I would be happy to, you
7 know, show the images. I would make the point I'm
8 not a radiologist, and I never in my own practice

9 form independent opinions, but I'm a thoracic
10 oncologist and see these all the time.

11 On that disk it was difficult to see 150
12 images, and I couldn't focus on one. I think at
13 home I had a little better luck. It was a different
14 computer.

15 Q. So is it fair to say that for all the
16 images that you saw on Exhibit 11 of the CT scan of
17 March 3, 2006, that they were very difficult for you
18 to visualize?

19 MR. GDANSKI: Object to form.

20 A. Yes, absolutely. When I first got it,
21 again, dealing at home with a different computer, I
22 believe I was actually able to look at individual
23 images and see it more clearly.

24 Q. When do you think you had this, when you
25 say you first looked at the CT scan, when do you
0066

1 think you first did that?

2 A. It was whenever I got the depositions
3 initially, the first set of depositions. And let me
4 see if I dated that.

5 Well, it was sometime after October 16th,
6 because on that date I indicated I had spoken to
7 Taylor, and that she was going to send out the
8 depositions, and that disk accompanied a box of the
9 depositions.

10 Q. When you first looked at the March 3, 2006
11 CT scan images, how much time did you spend looking
12 at them?

13 MR. GDANSKI: Object to the form.

14 A. I can't remember. As an oncologist, not a
15 radiologist, I already knew what the report was, and
16 I just wanted to see it to independently verify it.

17 Q. With respect to the -- if you need to refer
18 to your records, please do so. With respect to the
19 March 3, 2006 CT scan report, do you disagree with
20 anything that's written in that report?

21 MR. GDANSKI: Object to the form.

22 A. No.

23 Q. Would you add or change anything of that CT
24 scan report?

25 A. No. I wouldn't generate CT scan reports.
0067

1 Q. But if you got it, and you looked at the
2 images, would you go back and ask the radiologist to
3 change or modify it in any way?

4 A. My usual practice is to -- it is when I'm
5 really interested in looking at the images, I always
6 go down, it takes time, and review it with the
7 radiologist or we have a weekly conference that we
8 do that. But I like to look at images with
9 radiologists.

10 Q. Did you look at the images on the CT scan

11 of Helen Cohen of March 3, 2006 with any radiologist
12 before forming your opinions?

13 A. No.

14 Q. Did you ever go and ask any radiologist
15 what he or she thought about the images on the March
16 3, 2006 CT scan and whether or not they showed
17 primary lung cancer in Helen Cohen?

18 A. I would never do that without letting the
19 radiologist -- you know, I go to them all the time,
20 and annoy them, because I like to review on patients
21 I'm seeing. I would never ask them to look at
22 something that has medical legal implications
23 without first telling them that I do, and I've
24 sometimes done that, but I have not done that here.

25 Q. When you get a radiology report, whether
0068

1 it's a CT scan report, PET scan report, ultrasound
2 report, x-ray report from a radiologist, do you
3 accept what's in there and rely on that information
4 as a part of your care and treatment of patients in
5 clinical practice?

6 MR. GDANSKI: Object to form.

7 A. Generally, yes. But as I said, I can't
8 read ultrasounds. So if I had reason to be
9 skeptical of something in an ultrasound report, I
10 will take it to another radiologist to read it.

11 CT scans I can look at and I can form an
12 opinion, not that I would ever totally rely upon my
13 opinion. So it depends upon the study.

14 Q. Can you ever think of a situation where
15 you've looked at any radiology film, regardless of
16 what it would be, or radiographic image, and went
17 back to the person who prepared the report and asked
18 them to change it in any way?

19 A. Oh, yeah, sure.

20 Q. You have?

21 A. Well, I've disagreed with things and either
22 in hindsight -- yes, occasionally. I have been an
23 oncologist a long time and I see a lot of patients.

24 Q. When you've done that, has that ever
25 resulted in a change of what's actually written on
0069

1 the radiology report?

2 MR. GDANSKI: Object to the form.

3 A. Sometimes, yes.

4 Q. Again, I apologize if I've asked you this.
5 How long do you think you looked at this CT scan
6 originally in terms of the images on the disk that's
7 been marked as Exhibit 11?

8 A. I don't remember. 20 minutes perhaps. I
9 don't know.

10 Q. Was there any particular image on there
11 that you focused in on or thought was relevant to
12 your opinions?

13 MR. GDANSKI: Object to the form.

14 A. Well, on an average CT, there are often two
15 to 300 images, and the radiologist has to scan every
16 one of them. I'm not a radiologist. I'm not going
17 to be sued for missing something. You can see them
18 on several images.

19 Q. Now, other than the time that you spent
20 when you originally got the March 3, 2003 CT scan on
21 disk marked as Exhibit 11, how much other time did
22 you spend looking at the radiographic images on that
23 disk?

24 A. I tried to look at it again yesterday, but
25 had more difficulty. I could see all the images,
0070

1 but I couldn't focus in on any one. I couldn't
2 figure out how to do it.

3 Monday, when I got the 14 disks, I looked
4 at a couple of them. So, you know, not a long time.

5 Q. So those are the only two occasions that
6 you looked at the radiographic images on the CT scan
7 of March 3, 2006?

8 A. Yes.

9 Q. Yesterday when you tried to pull them up,
10 you couldn't see any individual image, because the
11 image was not large enough?

12 A. What you see is a strip of probably several
13 -- a lot of them. Often you could just sort of -- I
14 click on one, and it fills the whole screen.

15 Q. Besides the August, 2005 ultrasound, did
16 you discuss any other issue with Mr. Gdanski
17 yesterday?

18 MR. GDANSKI: Object to the form.

19 A. Well, we spoke two hours. We talked about
20 various issues on the case. I'm not sure what
21 you're referring to.

22 Q. I'm just asking if there's any other topics
23 that you remember coming up during that
24 conversation?

25 A. Besides the ultrasound and what else?
0071

1 Q. Besides the ultrasound.

2 A. Yes, we talked about other things related
3 to the case.

4 Q. What were those?

5 A. You'll need to be a little -- I wanted to
6 be certain what my role was in both the case, the
7 deposition. We talked a little bit about the data
8 on ultrasounds as a screening tool for ovarian
9 cancer, something I'm very interested in.

10 We talked a little bit about what sorts of
11 questions you would probably be talking about today.
12 I needed to -- I wanted to be certain I wasn't going
13 to be talking about addiction, and that was made
14 clear.

15 Q. Did I understand your testimony previously
16 that you were unable to review and interpret the
17 radiographic images on an ultrasound?

18 A. Yes. If I go to it, the radiologist says
19 see this, and I can see what she's pointing to.
20 Ultrasounds I can't read at all.

21 Q. Have you had any contact whatsoever with
22 the Plaintiff, David Cohen, about this case?

23 A. No. I know people with that name, but not
24 the Plaintiff in this case.

25 Q. Did you have any contacts or communications
0072

1 or telephone calls of any kind with any of Helen
2 Cohen's treating physicians about her care and
3 treatment during the course of her lifetime?

4 A. No.

5 Q. Were there any documents that were a part
6 of your file on Helen Cohen that were taken out or
7 not brought here to the deposition?

8 A. No.

9 Q. Have you reviewed any of the expert
10 disclosures by any of the Defendants' experts?

11 A. No. I don't know who they are.

12 Q. I think you told us earlier, Dr. Strauss,
13 that you are not a radiologist; is that true?

14 A. That's absolutely true.

15 Q. Did you do a residency in radiology?

16 A. No.

17 Q. Have you done a fellowship in radiology?

18 A. No.

19 Q. Have you ever signed a radiology report of
20 any kind?

21 A. No.

22 Q. Is it fair to say that you're not qualified
23 to interpret and report on any radiographic films,
24 whether x-rays, ultrasounds or CT scans by any
25 hospital?

0073

1 MR. GDANSKI: Object to the form.

2 A. I don't know exactly what that means.

3 Q. Let me clarify for a second. At hospitals
4 there are individuals who are identified as being in
5 the radiology department that sign off on and
6 prepare radiographic reports, correct?

7 A. Yes.

8 Q. Are you one of those individuals?

9 A. No.

10 Q. Has any hospital given you any type of
11 permission to be the person who interprets
12 radiographic films and prepares a radiology report?

13 A. No.

14 Q. Do you charge your patients for looking at
15 chest x-rays or any other type of radiographic
16 films?

17 MR. GDANSKI: Object to the form.

18 A. I don't charge my patients for anything.

19 I'm an employee of the hospital. I presume the
20 hospital does.

21 Q. Do you know whether the hospital charges
22 for your time on that issue?

23 A. No, they charge for my time, but they don't
24 charge for my time reviewing x-rays.

25 Q. Do you know whether or not your hospital is

0074

1 reimbursed at all by any insurance company if you
2 read a chest x-ray, a CT scan, or some other type of
3 radiographic film?

4 A. Yes, there's no bills to the hospital for
5 that particular purpose, other than it's part of the
6 whole evaluation of the patient.

7 Q. Have you ever published on radiology?

8 A. Well, I've written extensively about
9 screening for cancer and how you evaluate the
10 evidence. So the paper that I just sent in
11 yesterday is chest x-ray screening for lung cancer.

12 Q. Is it fair to say that there are experts in
13 the field of radiology that are board certified in
14 radiology, but you are not one of those people?

15 MR. GDANSKI: Object to the form.

16 A. Yes, of course.

17 Q. Do you believe that you are qualified to
18 compare CT scans on a patient or would you defer
19 that to a radiologist?

20 MR. GDANSKI: Object to the form. Did you
21 say compare? I object.

22 A. Those are not mutually exclusive. Any time
23 that the report is relevant to what I'm going to do
24 in thinking about the patient, I always review it
25 with a radiologist. I have been doing this for a

0075

1 long time, and CT scans I can read, at least CT
2 scans of the chest and abdomen.

3 Q. Let me ask a different question. If you
4 had two CT scans of the chest, for example, and all
5 you had was the images looking in front of you, are
6 you qualified to compare those two or would you
7 enlist the aid of a radiologist to help you
8 interpret that comparison?

9 MR. GDANSKI: Object to the form. Asked
10 and answered.

11 A. Again, I'm not professionally qualified,
12 but I do that all the time. Again, I do not rely
13 upon my own interpretation, though I always confirm
14 it with radiology. So they're not really mutually
15 exclusive.

16 Q. Have you compared Helen Cohen's CT scan of
17 March 3, 2006 to any other radiographic image on
18 her?

19 A. No.
20 Q. I think these will be easy, but you don't
21 hold yourself out as an expert on pathology, do you?
22 MR. GDANSKI: Object to form.
23 A. No.
24 Q. No, you do not?
25 A. Yes, I do not.

0076

1 Q. Would you ever sign a pathology report or
2 do you lack the qualifications to do that?
3 A. I would never sign it, and I lack the
4 qualifications to do it.
5 Q. Is it fair to say that as part of your role
6 as an oncologist that there are a number of things
7 that you can do to assess the primary site of a
8 cancer?
9 A. Can I request that question again?
10 Q. Yes, sir. Is it fair to say that as part
11 of your role as an oncologist, there are a number of
12 things that you can do in terms of test or order and
13 studies to conduct to assess the primary site of a
14 cancer?

15 MR. GDANSKI: Object to the form.

16 A. Yes.
17 Q. One of those is a CT scan?
18 A. Yes.
19 Q. One of those is a PET scan?
20 A. Yes.
21 Q. You might also suggest that the patient be
22 biopsied?
23 A. I might, yes.
24 Q. And you might request pathologic staining
25 to try to determine the primary site of the cancer?

0077

1 A. Mostly pathologic staining doesn't
2 determine the pathologic type. It does determine
3 the histologic subtype, which may or may not be
4 specific for a primary site.
5 Q. Do you agree that it's the analysis of a
6 patient's tissue that provides evidence of the
7 primary site of a patient's cancer?
8 MR. GDANSKI: Object to the form.
9 A. So let me make sure I'm answering the
10 question you're asking. Does the analysis of the
11 tissue provide evidence as opposed to definitive
12 evidence? I would agree that it provides evidence.
13 Q. Do you rely on radiologists to identify
14 radiographic abnormalities?
15 A. Yes.
16 Q. Do you also rely on a pathologist to
17 distinguish between cancer and benign disease?
18 A. Yes.
19 Q. To diagnose cancer pathologically?
20 MR. GDANSKI: Object to the form.

21 Q. Let me fix my question. Do you rely on
22 pathologists to diagnose cancer pathologically?

23 MR. GDANSKI: Object to form.

24 A. Yes.

25 Q. Do you rely on pathologists to do staining
0078

1 for types of cancer?

2 A. Yes.

3 Q. Do you rely on pathologists to do staining
4 for the primary site of the cancer?

5 MR. GDANSKI: Object to form.

6 A. The pathologist doesn't do staining for the
7 primary site. Could actually provide some
8 information about primary site, but often doesn't
9 definitively prove primary site. More commonly than
10 not it doesn't definitively prove primary site.

11 Q. Has there ever been an occasion during your
12 career as an oncologist where an oncologist you
13 worked with suspected that a cancer originated in
14 one organ, but then the pathology report came back
15 and showed that it originated in a different organ?

16 MR. GDANSKI: Object to the form.

17 Hypothetical.

18 A. I think the answer to that question is no,
19 because if -- we always deal with cancers of unknown
20 primary site all the time. One of my colleagues is
21 an international expert in that. But the primary
22 site, you know, pathologists often can't give a
23 definitive answer if the radiographic images don't
24 leave a lot of leeway. Patients present with
25 disease and that's not an obvious primary in any of
0079

1 the probable sites.

2 Q. If I understand your testimony, you're
3 saying that the tissue that's analyzed by pathology
4 doesn't provide the identification of the primary
5 site, it's the cell type that is identified that
6 then leads to the conclusion of the primary site of
7 the cancer?

8 A. I didn't say that either.

9 Q. Do you agree with what I said?

10 MR. GDANSKI: Object to the form.

11 A. Not necessarily.

12 Q. Do you agree that the cell type that is
13 identified by pathological tissue analysis can
14 identify the primary site of a cancer?

15 A. I would restate that can be helpful, but
16 usually it doesn't definitively identify the primary
17 site.

18 Q. If you had your choice between having a
19 pathological tissue sample to analyze to get
20 information about the primary site of the cancer or
21 the cell type, would you get that tissue sample and
22 do that analysis every time?

23 MR. GDANSKI: Object to the form. Improper
24 hypothetical.

25 A. Not necessarily.

0080

1 Q. Would you more likely than not want to have
2 that pathology tissue sample to analyze to help you
3 determine the primary site or the cell type of the
4 cancer?

5 MR. GDANSKI: Object to the form.

6 A. Most of the time, but there are major
7 exceptions to that.

8 Q. So kind of going back to my question about,
9 have you had an occasion as an oncologist where a
10 colleague of yours suspected a cancer originated in
11 one organ based upon a CT scan, but then when the
12 pathology report came back, it actually showed that
13 the cancer originated someplace else?

14 MR. GDANSKI: Object to the form. Improper
15 hypothetical.

16 A. That wasn't the question you asked before,
17 and I wouldn't necessarily agree with that at all.

18 Q. Has that ever happened over the course of
19 your career?

20 MR. GDANSKI: Form.

21 A. You're going to need to restate the
22 question, because I don't think it was a well-framed
23 question.

24 Q. I will try to ask you a brand new question.
25 Have you ever had an occasion as an oncologist where

0081

1 an oncologist that you know suspected that they saw
2 primary lung cancer on a CT scan, then the tissue
3 sample is analyzed by pathology, and the results
4 come back that it is not primary lung cancer, but a
5 cancer that originated somewhere else and spread to
6 the lung?

7 MR. GDANSKI: Object to the form. Improper
8 hypothetical.

9 A. Probably, but I can't specifically think of
10 it. Before concluding that it's primary lung
11 cancer, you're going to know the history, the
12 physical exam, the distribution of what you're
13 seeing on all the imaging studies.

14 So most of the time if it's unclear, we
15 might be calling it an unknown primary initially
16 before we get to the point of being clear.

17 Q. Now, has that situation ever happened with
18 you, where you looked at a CT scan of the chest, you
19 suspected primary lung cancer, then pathology
20 testing was done, it comes back and you decided that
21 it was not primary lung cancer?

22 MR. GDANSKI: Object to form.

23 A. Yes, sir.

24 Q. How many times has that happened over the

25 course of your career?

0082

1 A. Oh, ten, 15 times.

2 Q. When it has happened, and the pathology
3 report comes back and shows it's not a primary lung
4 cancer, what have been the primary cancers
5 identified?

6 A. Most commonly it's actually been renal cell
7 carcinoma, which traditionally goes to the lungs,
8 but usually it's multiple, both sides. But
9 sometimes I've seen a bunch of cases of patients who
10 have actually had hypernephroma, that's a primary
11 renal cell carcinoma, where it looked like it was a
12 solitary mass that looked like it was a lung cancer,
13 and either on biopsy or actually on resection of
14 that mass turned out to be a metastatic renal cell
15 carcinoma.

16 That's actually the most common situation
17 I've seen.

18 Q. Were there other primary cancers that
19 you've seen in that situation besides renal
20 carcinoma; did I say that correctly?

21 A. Renal cell carcinoma, yes. Yes, I've seen,
22 as I said, colon cancers usually don't go to the
23 lung without also going to the liver because of the
24 circulation. But rectal cancers, because of how it
25 varies in terms of the venous drainage, can actually

0083

1 go to the lung. I've seen a few cases of rectal
2 cancer presenting as a solitary lung mass without
3 other evidence of rectal cancer until we looked
4 later on.

5 I've probably seen one or two cases of
6 lymphoma like that, though that doesn't necessarily
7 -- a cancer that didn't originate in the lung. Lots
8 of lymphomas actually will arise in the chest. It
9 doesn't come up often. It's been very, very
10 uncommon.

11 There's a large literature of cancers and
12 sarcomas traditionally spread to the lung, and I've
13 seen patients with single lung metastases, but more
14 commonly it's multiple on both sides, where we are
15 thinking it's metastatic rather than primary.

16 Q. In those situations where you saw a CT scan
17 and you suspected primary lung cancer and the
18 pathology came back and it turned out to be
19 something else, did that change, affect how you
20 treated a patient?

21 MR. GDANSKI: Object to the form.

22 A. This is pretty uncommon. I'm careful not
23 to label something as a probable lung cancer unless
24 I have really good evidence for it. I'm sure in a
25 few occasions it has changed it.

0084

1 There was one patient I can think of,
2 probably cared for 20 years ago, who actually had a
3 lung mass --

4 MR. GDANSKI: Why don't you stop. I don't
5 know what patient you're talking about, but to the
6 extent you have any -- he's asking very general
7 questions about your history as an oncologist and
8 working with patients generally. If you're going to
9 get into specific patients and their specific case
10 and medical scenario, I don't know the bounds, but I
11 don't want you to disclose any private protected
12 patient information.

13 Q. Have you done that yet, doctor? You
14 haven't violated any kind of privacy issues, have
15 you, doctor?

16 A. I don't think so.

17 Q. I don't think so either. So can you
18 continue. I think you were telling us that it did
19 affect your treatment decision for a particular
20 patient that you were describing, right?

21 THE WITNESS: Should I answer the question?

22 MR. GDANSKI: Without disclosing the
23 patient's name or any specifics of that patient.

24 A. It was a patient who turned out to have a
25 rectal cancer, but interestingly once we knew that,
0085

1 the rectal cancer was not otherwise metastatic. The
2 patient then had surgery of the rectal cancer and
3 chemotherapy.

4 Q. Is it fair to say in those situations where
5 you looked at the CT scan and first suspected
6 primary lung cancer, and it turned out to be some
7 other type of primary cancer or disease process,
8 were you thankful that you had gone ahead and asked
9 to have the pathology done for purposes of treating
10 the patient?

11 MR. GDANSKI: Object to the form.

12 A. Pathology is something we almost always do
13 in a patient suspected of cancer, unless it's not
14 going to make a difference in management.

15 Q. So is the answer yes, that you were glad
16 you went and followed through with getting the
17 pathology in those situations where you suspected
18 primary lung cancer, when in fact it didn't turn out
19 to be the case?

20 MR. GDANSKI: Object to the form.

21 A. In those cases, as in the vast majority of
22 cases with a suspected cancer, one would always do
23 pathology, unless somebody is sort of end stage and
24 you're not going to treat them.

25 Q. You found in those situations that doing
0086

1 the pathology assisted you as an oncologist in
2 treating those patients?

3 A. In hindsight, but that wasn't the reason to
4 do the pathology. The reason to do the pathology is
5 that if you're going to treat the patient, you need
6 to get pathologic confirmation to design what you're
7 going to do.

8 Q. Do you agree that there are going to be
9 some situations where you have a belief about a
10 patient who has a primary lung cancer, as shown on a
11 CT scan, and as well intentioned as you are in
12 believing that that might be primary lung cancer,
13 there is going to be some situations where, just
14 because of the odds, just because of the
15 circumstances, that doesn't turn out to be the case?

16 MR. GDANSKI: Object to the form.

17 A. It's exceedingly rare.

18 Q. Regardless of whether it's rare or not, do
19 you agree that it's not possible to be right all the
20 time in the practice of medicine, particularly in
21 assessing based on a CT scan alone, whether or not a
22 patient has a primary lung cancer or some other
23 primary cancer that originates somewhere else and
24 metastasized to the lung?

25 MR. GDANSKI: Object to the form.

0087

1 A. I believe it is possible without pathology
2 to make that determination based upon the totality
3 of the clinical circumstances.

4 Q. I'm sorry, I don't think I asked that
5 question. You may not have heard me right.

6 My question was, in a situation where you
7 have a CT scan of the chest that's where primary
8 lung cancer is suspected and pathology is not done,
9 you believe as the oncologist that it is a primary
10 lung cancer -- you suspect it's primary lung cancer
11 -- is it true that just because of the odds and
12 circumstances of how medicine works, that you are
13 not able to be right all the time in that situation?

14 MR. GDANSKI: Object to the form.

15 A. Yes, I agree with that.

16 Q. There are going to be some situations, no
17 matter how well intentioned you are, that when you
18 see a CT scan, you suspect it's primary lung cancer,
19 that there are going to be circumstances where that
20 doesn't turn out to be the case, true?

21 MR. GDANSKI: Object to the form.

22 A. Yes.

23 Q. You agree that cancer is not one disease
24 process, is it?

25 A. Yes. Yes, I agree with it.

0088

1 Q. Cancer is a group of hundreds of different
2 diseases, yes?

3 A. Yes.

4 Q. Cancers are characterized by their cells of

5 origin, correct?

6 A. Cell of origin and, you know -- the
7 histologic subtype may or may not provide very
8 powerful evidence for the primary site.

9 Q. And cancers arising from epithelial cells
10 are called carcinomas?

11 A. Yes.

12 Q. And cancers arising from bone or connective
13 tissues are called sarcomas?

14 A. Soft tissues, cancers that arise from bones
15 -- soft tissues are usually sarcomas. There are
16 bone sarcomas, but there are a lot of primary bone
17 cancers that are not sarcomas.

18 Q. Fair enough. Cancers that arise from blood
19 or blood-making cells are considered hematologic
20 cancers, correct?

21 A. Yes.

22 Q. And those types of cancers include
23 leukemia, lymphoma, multiple myeloma, and other
24 myeloproliferative disorders?

25 MR. GDANSKI: Object. Hypothetical.

0089

1 Object to the form.

2 A. Myeloproliferative disorders, correct, yes.

3 Q. And to distinguish between these cancers,
4 you need to look at cancers under the microscope,
5 true?

6 MR. GDANSKI: Form.

7 A. Yes.

8 Q. To diagnose these cancers, you need to look
9 at tissue under the microscope, true?

10 MR. GDANSKI: Object to form.

11 A. To make a definitive diagnosis of myeloma
12 or lymphoma, you need to get tissue, yes.

13 Q. When you say "definitive diagnosis," what
14 level of certainty are you talking about there?

15 A. 100 percent.

16 Q. 100 percent. Is that the standard by which
17 you measure yourself when you are making a diagnosis
18 in a patient outside of litigation?

19 MR. GDANSKI: Object to the form.

20 A. No. You want to do what's right for the
21 patient.

22 Q. What is the standard of certainty that you
23 use when you are treating patients as an oncologist
24 on a day-to-day basis?

25 A. In the vast majority of the time we get

0090

1 pathology and we get a full assessment. But the
2 major exception to that, and probably, you know,
3 lung cancer is probably the most common and deadly
4 cancer in our society and in the world, it's
5 probably the example where we most commonly don't
6 follow through with it.

7 If somebody presents what appears to be an
8 obvious lung cancer, whether it's really very, very
9 debilitating, is not going to be candidates for any
10 kind of therapy, in which case we sort of focus on
11 comfort issues or hospice very early on.

12 Q. I'm trying to understand when you say that
13 something is a definitive diagnosis, are you saying
14 that every diagnosis that you make as an oncologist
15 is a definitive diagnosis at 100 percent?

16 MR. GDANSKI: Object to the form.

17 A. I don't remember you asking me that
18 question, and I certainly wouldn't make a statement
19 such as that.

20 Q. Now, when you make a diagnosis that you
21 believe is reasonably comfortable and accurate
22 according to the medical science, what standard do
23 you use?

24 A. Depends upon the patient. If it's a
25 patient who is healthy enough to be a candidate for
0091

1 any form of therapy that is appropriate for that
2 particular type of malignancy, then we need to be as
3 close to absolute certain as possible in terms of
4 what the cancer is, what the distribution of the
5 cancer is, what the stage of the cancer is, because
6 we're going to institute therapy based upon that.

7 On the other hand, if you have a patient
8 who is dying when you see them, and has got
9 widespread cancer and you're not going to treat them
10 no matter what they've got, then those are the cases
11 where we will move toward hospice and supportive
12 care or comfort care only from day one or early on.

13 Q. For those patients that you just described,
14 what is the level of certainty that you have to have
15 in order to make a diagnosis of that patient's type
16 of cancer?

17 MR. GDANSKI: Object to form.

18 A. There's no reason to make -- what is the
19 level of certainty you have to have?

20 Q. That you feel comfortable with.

21 MR. GDANSKI: Object to the form.

22 A. That's a different question. If somebody
23 is clearly dying from cancer all over the place, you
24 don't need to be certain at all, because you're not
25 going to do anything at all. You have usually a
0092

1 pretty good idea what's going on.

2 Whether you're going to do additional tests
3 to confirm that or not is depended upon your
4 assessment of the patient, the patient preferences,
5 family preferences, the whole clinical situation.

6 Q. And those situations where the cancer is
7 widespread, the patient can't be treated or declines
8 treatment, and goes to a hospice, is it fair to say

9 that you don't have to be certain at all about what
10 type of cancer they may have?

11 MR. GDANSKI: Object to the form.

12 A. It's fair to say you may not need to be
13 certain. It doesn't mean you're not certain, but
14 you don't need to be certain, because you're not
15 going to -- bottom line, the diagnosis is really a
16 means to an end. It's not an end in itself.

17 If you're not going to deal with a
18 particular diagnosis, then there's no particular
19 reason to put somebody through tests that could be
20 invasive, uncomfortable, expensive, et cetera.

21 Q. Because of that situation, where a patient
22 has widespread disease, is unable to be treated or
23 refuses treatment and decides to go into hospice and
24 not do further follow-up, is it fair to say that you
25 can be less certain about what the true diagnosis is

0093

1 of the type of primary cancer that patient has?

2 MR. GDANSKI: Object to the form.

3 A. Do you mean is it imperative that you be
4 less certain or are you less certain?

5 Q. Let's start with the latter.

6 A. Again, if you're not going to do anything,
7 it's not important to be 100 percent certain or even
8 close to it.

9 Q. In those situations, what level of
10 certainty are you comfortable with as an oncologist?

11 A. I've never thought about it. Almost always
12 you're 100 percent certain -- again, I wouldn't want
13 to say I'm 100 percent certain that somebody has got
14 cancer without a histologic diagnosis. But when I
15 see a patient with obvious widespread cancer, I will
16 often speak to my -- the oncologist is the worst
17 person to ask, and I've never seen such a patient we
18 were convinced had widespread cancer and we've done
19 tests and the patient never had cancer in the first
20 place. That's not to say I've not seen patients
21 that I suspect of cancer that turned out not to have
22 cancer.

23 I always ask the radiologist, What's your
24 differential diagnosis? And they will go along and
25 they will say, some sort of bazaar fungal disease

0094

1 that I've never heard of or seen that only occurs in
2 some other parts of the world.

3 You'll want to be 99 percent sure the
4 patient has cancer, which I think you can be in a
5 case like this, and if you're not going to do
6 anything, it's not critical to know what the primary
7 site is, but often you're pretty certain what the
8 primary site is.

9 Q. Do you agree that that was the situation
10 with Helen Cohen, that her treating physicians did

11 not need to be certain about the primary type of
12 cancer that she had, because she had widespread
13 disease, she either could not undergo treatment or
14 refused treatment and went into hospice?

15 MR. GDANSKI: Object to the form.

16 A. She died a week later. Sure.

17 Q. Do you find that when you have to go back
18 and analyze those types of cases for purposes of
19 litigation, that it makes it more difficult to
20 assess the true primary site of the cancer or the
21 disease process because you don't have as much
22 information if the patient had decided to be treated
23 and get pathology?

24 MR. GDANSKI: Object to form.

25 A. Could you restate that question, please.

0095

1 (Reporter read back pending question)

2 MR. GDANSKI: Object to form.

3 A. Probably not.

4 Q. Why do you think that you could still do
5 that?

6 A. Most of the cases are pretty obvious except
7 for what tobacco is going to falsely claim. Excuse
8 that, with all due respect.

9 Q. Do you agree in a situation like Helen
10 Cohen, where the disease process was widely spread,
11 that she was either unable to or refused to have a
12 biopsy for pathology, and then went into hospice,
13 that you have less information than you would
14 otherwise have if she had decided to undergo
15 treatment and get a tissue sample for purposes of
16 analysis by pathology?

17 MR. GDANSKI: Object to the form.

18 A. Not necessarily. Frankly, my suspicion is,
19 and I see this fairly often when I'm on service at
20 the hospital, where patients come in -- I've never
21 met Mrs. Cohen. I do know that she was admitted --
22 well, she had the CT scan on the third of March,
23 2006, and was dead eight days later.

24 To me, if I were caring for this patient, I
25 would have probably, you know, assumed that this was

0096

1 lung cancer, and probably, you know, would not have
2 felt she was a candidate for therapy, and probably
3 would have -- I mean, sometimes the family and
4 patient wants to know, and it would have been simple
5 to do a diagnostic biopsy. It would have been most
6 easy to biopsy the liver rather than the lung. That
7 wouldn't necessarily prove the primary site, but I
8 think the history, the images would lead any
9 reasonable physician to include that she had
10 metastatic lung cancer, which was the opinion of the
11 physicians who cared for her and what her death
12 certificate said.

13 I'm sufficiently experienced with the
14 strategies of tobacco, no matter what the histology
15 said, you are going to argue it was something else.

16 Q. Can you ever foresee a situation for
17 yourself where you would agree, whether in a court
18 case or outside of court, that you would agree with
19 a tobacco company that the primary site of origin
20 for a cancer happened outside the lung?

21 MR. GDANSKI: Object to the form. Asked
22 and answered.

23 A. Not for a case that I've agreed to be
24 helpful for.

25 Q. In any of the cases where you've ever
0097

1 testified involving a tobacco company, have you ever
2 testified that the cancer originated outside of the
3 lung?

4 A. Not on cases I've testified on.

5 Q. Has there ever been a situation where
6 you've ever reviewed a case involving tobacco
7 litigation where you've concluded that the cancer
8 originated outside the lung, and you could not opine
9 that it was primary lung cancer?

10 MR. GDANSKI: I'm going to stop and try my
11 best to listen to your question. I'm sure it was
12 phrased very carefully. My read of the question is
13 that it may call for a disclosure of potentially
14 privileged information. I don't know the answer.

15 To the extent he's asking you about
16 conclusions you've reached in cases that you may
17 have reviewed, I don't know, that you've never been
18 disclosed as a witness in, you don't have to answer
19 that.

20 So keeping that in mind, do you understand
21 my instruction?

22 THE WITNESS: Yes.

23 Q. Can you answer my question?

24 A. It basically comes up virtually in most
25 cases that I've dealt with, and there's not been a
0098

1 case that I've been involved in that I agreed with
2 tobacco.

3 Q. And there's not been a case where you've
4 seen in tobacco litigation where you've looked at
5 the case file and said this is not a primary lung
6 cancer, this is a cancer that originated somewhere
7 outside the lung?

8 A. Yes.

9 MR. GDANSKI: What was the question?
10 (Reporter read back pending question)

11 A. The answer is yes, I have not agreed with
12 tobacco.

13 Q. I'm not trying to be tricky. Maybe my
14 phraseology is a little off. I didn't take that as

15 directed to me. I'm just letting you know that I'm
16 not trying to -- I don't have a different agenda
17 here other than to ask you questions.

18 Will you at least give me in the situation
19 of Helen Cohen, that because she opted not to have
20 treatment and decided to go to hospice, that there
21 was less information available to her doctors about
22 the type of cancer she had compared to if she had
23 decided to undergo treatment for that cancer?

24 MR. GDANSKI: Object to form and I object
25 to the introduction to the question.

0099

1 A. I'm not sure she would have been a
2 candidate for treatment, in my view.

3 Q. Let's assume that she was. Let's assume
4 that she was a candidate for treatment.

5 A. Then we're dealing with different clinical
6 scenarios.

7 Q. Let's assume she was a candidate for
8 treatment, and she declined to have further tests
9 done on her. Do you agree that because of that, you
10 have less information available to you about the
11 primary site of the cancer compared to what you
12 would have available to you if she had undergone
13 that further testing?

14 MR. GDANSKI: Object to form. Asked and
15 answered.

16 A. I certainly agree we have less information
17 about the nature, the precise nature of the cancer,
18 because she did not undergo a biopsy to prove the
19 presence of cancer than if she did, that doesn't
20 indicate I'm less certain about what she has.

21 The biopsy would have likely been from the
22 liver, and if it confirmed some extremely unusual
23 thing, that that would have changed the opinion.

24 I have very little doubt that she had
25 cancer, and very little doubt that she had a primary

0100

1 lung cancer.

2 Q. Is it true, going back to the different
3 types of cancers there are, if someone had an
4 enlarged lymph node in the mediastinum, you would
5 agree you could not say I diagnose this as lymphoma
6 and not metastatic cancer from the ovary?

7 MR. GDANSKI: Object to the form.
8 Inappropriate hypothetical.

9 A. That's a question out in left field. Could
10 you repeat it for me, please.

11 Q. If someone had an enlarged lymph node in
12 the mediastinum, you could not say I diagnose this
13 as lymphoma and not metastatic cancer from the
14 ovary, true?

15 MR. GDANSKI: Object to the form. Same
16 objection.

17 A. So the differential diagnosis is lymphoma
18 versus metastatic lung cancer, and the answer -- yes
19 means I can make that distinction between lymphoma
20 and metastatic ovarian cancer, and no means that I
21 can't make that distinction? I'm sorry, as I try to
22 think of whether it's yes or no --

23 Q. I will ask it differently. If someone had
24 a --

25 A. It's a really great question.

0101

1 Q. If someone had an enlarged lymph node --

2 MR. GDANSKI: Someone thought about it for
3 a long time.

4 Q. If someone had an enlarged lymph node of
5 the mediastinum, would you be able to say I diagnose
6 this as lymphoma and not metastatic cancer from the
7 ovary?

8 MR. GDANSKI: Object to the form. Improper
9 hypothetical.

10 A. I give up. I don't understand the
11 question. I think you said at the beginning if I
12 don't understand a question -- I don't understand
13 the question.

14 So if somebody who presents with an
15 enlarged mediastinal lymph node and you immediately
16 think the two possibilities are lymphoma or
17 metastatic ovarian cancer, and can I distinguish
18 those two possibilities, and not even think about
19 the fact that lung cancer is even part of the
20 differential diagnosis; is that the question?

21 Q. Not at all. I'm saying, as an example, we
22 talked about the different types of cancers there
23 are, and if you had an enlarged lymph node in the
24 mediastinum, you would not be able to distinguish
25 between a lymphoma and metastatic cancer from the

0102

1 ovary based upon that information alone?

2 MR. GDANSKI: Object to the form.

3 A. Based upon the information, meaning you
4 just did a CAT scan of the mediastinum, so you see a
5 lymph node, and you don't know anything else that's
6 going on. You haven't examined the patient. You
7 haven't done an abdominal pelvic CT scan. You
8 haven't done any of the tests.

9 Q. What's your answer?

10 MR. GDANSKI: Same objection as before.

11 A. With all due respect, it's a ridiculous
12 question, and I would not want to -- it's probably
13 the most ridiculous question I have ever been asked.

14 Q. I don't mean to ask you ridiculous
15 questions, but I do get to ask the questions. You
16 have a patient that has an enlarged lymph node of
17 the mediastinum.

18 A. Sure.

19 Q. Is it fair to say that you will not be able
20 to make a diagnosis and say this is lymphoma, it's
21 not metastatic cancer from the ovary?
22 MR. GDANSKI: Object to the form.
23 Inappropriate hypothetical.
24 A. So I can't -- seeing a metastatic lymph
25 node, can I say it's not lymphoma -- one more time.
0103

1 I'm sorry.
2 Q. If you have a lymph node in the
3 mediastinum, are you able to say, based upon that,
4 whether it's a lymphoma or metastatic cancer of the
5 ovary?
6 MR. GDANSKI: Object to form. Asked and
7 answered. He already told you. I object.
8 A. The question is so out in left field, I
9 guess the answer is, I can't distinguish between
10 metastatic ovarian cancer and lymphoma just based
11 upon the image with no other information.
12 MR. GDANSKI: Note my objection to the
13 question.
14 Q. Do you agree that you also could not
15 diagnose that situation as metastases from the ovary
16 and not lymphoma?

17 MR. GDANSKI: Object to the form for all
18 the reasons I've articulated.
19 A. It sounds like you just rephrased your
20 question.
21 Q. I just said the converse.
22 MR. GDANSKI: I object. Asked and
23 answered, as far as I can tell.
24 A. One more time.
25 Q. Do you agree you would not be able to
0104

1 distinguish between those two types of cancers
2 without tissue?
3 MR. GDANSKI: Object to the form. I object
4 to the form of the question. Inappropriate
5 hypothetical. I object.
6 MR. DAVIS: Jon, I have been very patient.
7 Object to form is fine, and we can move this process
8 along a lot quicker.
9 MR. GDANSKI: If you listen to the last
10 question, read back just the last question, it
11 doesn't make any sense. Can you read back the last
12 question? You're asking a series of questions and
13 you're concluding can you distinguish between these
14 cancers, and I'm not sure what we're talking about
15 any more.
16 Q. Doctor, I'll rephrase the question.
17 A. Sure.
18 Q. Is it fair to say in order to distinguish
19 in that situation between lymphoma and metastatic
20 cancer from the ovary or some other type of disease

21 process that you would need a tissue sample and
22 analyze that tissue sample through pathology?
23 MR. GDANSKI: Object to the question and I
24 object to the form.

25 A. That question, you know, did have a third
0105

1 option, meaning any other disease process. So not
2 necessarily. Now you want to know the total
3 clinical situation. The differential includes
4 lymphoma, metastatic ovarian cancer, or something
5 else, which can include lung cancer.

6 Do you need tissue to distinguish those
7 situations? Tissue wouldn't give you 100 percent
8 certainty by any means. It would be helpful in
9 saying it's not a lymphoma. But you have to make
10 that decision based upon the clinical information.

11 Q. Without pathology, could you as an
12 oncologist differentiate between a lymphoma and a
13 metastatic cancer from the ovary when you see an
14 enlarged lymph node in the mediastinum?

15 MR. GDANSKI: Object to the form. Object
16 to the question.

17 A. Are you asking me to be 100 percent certain
18 or are you asking me can I be certain to the extent
19 that I know as much as I need to know?

20 Q. I'm asking you to be certain so that you
21 could treat that particular patient.

22 A. If we were going to treat the patient, we
23 would certainly want to get tissue. Tissue should
24 tell us lymphoma, with some exceptions, but tissue
25 would not distinguish definitively ovarian cancer
0106

1 from the most common form of lung cancer, which is
2 now adenocarcinoma of the lung, unless there are
3 special studies that might or might not be helpful.

4 Q. Do you agree to treat that patient and to
5 make an appropriate diagnosis that you would need
6 pathology?

7 MR. GDANSKI: Object to the form.

8 A. Not necessarily.

9 Q. You disagree with that?

10 A. Well, she was treated. She was treated in
11 hospice and supportive care. She was not a
12 candidate for chemotherapy. She didn't have ovarian
13 cancer. This is a ferry tale.

14 Q. I will come back to Helen Cohen, I promise.

15 A. I believe you.

16 Q. But with respect to the patient that we're
17 talking about that has the lymph node in the
18 mediastinum, without pathology, you wouldn't be able
19 to diagnose and treat that patient without pathology
20 to distinguish between lymphoma or primary cancer of
21 the ovary?

22 MR. GDANSKI: Object to the form. I object

23 to the question.

24 A. It depends on what you mean by "treat."

25 There are patients who present with -- and it's

0107

1 actually more common with lung cancer than other
2 things -- who actually have an obstructed bronchus
3 who are not really candidates for a diagnosis, and
4 we'll often give them palliative radiation therapy
5 to open up a cancer.

6 I have as a medical oncologist for 35 years
7 who has treated many, many, many thousands of
8 patients during my career, have never given
9 chemotherapy to a patient without having obtained a
10 histologic diagnosis without getting pathology, and
11 I don't think I ever would.

12 So if the patient were going to be treated
13 by chemotherapy, I would want to get a pathologic
14 diagnosis. The pathologic diagnosis may or may not
15 give you evidence for the primary site. The primary
16 site depends upon not just what the pathology sees,
17 but on the clinical scenario as well, and the latter
18 being more important, the clinical scenario.

19 Q. Do you know what tissue is the issue means?

20 MR. GDANSKI: Object.

21 A. Yes.

22 Q. What does it mean?

23 A. Well, that statement, frankly, the more
24 common statement often comes from radiation
25 oncologists who are more likely asked to be treat

0108

1 without tissue, the more common statement is, no
2 meet, no treat, meaning the same thing.

3 You want to get at least confirmation that
4 the patient has got cancer. The pathologic
5 pathology may or may not. If somebody has got a
6 clinical scenario of a cancer with an unknown
7 primary that we see very often, particularly in the
8 inpatient service where patients are admitted to the
9 hospital, a lot of them will be cancers with unknown
10 primary, among the common cancers where there is no
11 cancer, but this was not that circumstance.

12 Q. Do you also agree tissue is the issue also
13 means that in order to diagnose a patient with a
14 particular type of cancer, you need to have a tissue
15 sample from the patient to analyze pathologically?

16 MR. GDANSKI: Object to form.

17 A. It's often useful to have that, but it
18 often doesn't give you a definitive primary site.
19 Certainly in this case, if she had a liver biopsy,
20 it's exceedingly unlikely that that would have given
21 you a definitive primary site.

22 Q. What you don't know, because the test
23 wasn't run, right?

24 A. That's correct.

25 Q. Now, do you agree that tissue is the issue
0109

1 means that you can have an abnormality seen
2 radiographically or even by the naked eye, but you
3 don't know what it is until you look at the tissue
4 under the microscope?

5 MR. GDANSKI: Form.

6 A. No, I've never thought of it in those
7 terms.

8 Q. Is there any part of that statement that
9 you agree with?

10 MR. GDANSKI: Object to the form.

11 A. Could you repeat the question?

12 Q. Sure. Do you agree that tissue is the
13 issue means that you could have an abnormality seen
14 radiographically or even by the naked eye, but you
15 don't know what it is until you look at that tissue
16 under the microscope for sure?

17 A. The question is what is the standard of
18 knowing. If you want to be 100 percent certain what
19 the cell type is, you need to get pathology. You
20 don't need to get pathology to make a decision about
21 the nature of this cancer, what it is, with all
22 reasonable probability or a very, very high level of
23 probability.

24 Q. Would you ever treat a living patient,
25 doctor, as an oncologist with less than definitive
0110

1 certainty about what type of cancer they may have?

2 MR. GDANSKI: Object to form.

3 A. Yes.

4 Q. You do that all the time?

5 MR. GDANSKI: Object to form.

6 A. No, I don't do that all the time. It's
7 fairly uncommon. I already mentioned the most
8 common scenario. Somebody presents with major
9 pulmonary symptoms, probably has an obstructive
10 process, is not well enough to undergo a biopsy
11 procedure, but it would be beneficial to sort of
12 open up the airways where we give radiation based
13 upon the presumption of -- the almost certainty of
14 cancer and the presumption of the primary site.

15 Q. Is it true that it's fairly uncommon that
16 you treat and diagnose patients of some type of
17 cancer without a pathological diagnosis of the
18 tissue?

19 MR. GDANSKI: Object to form.

20 A. Yes, it is uncommon.

21 Q. Do you agree that there are different types
22 of cancers that are carcinomas, sarcomas and
23 hematologic cancers and there are different cell
24 types of cancers?

25 A. Yes.

0111

1 Q. Do you agree that there are different types
2 of carcinomas, depending on where they arise?

3 MR. GDANSKI: Objection to the form.

4 A. There are different forms of cancers,
5 depending on where they arise, but that's not the
6 only thing that gives different forms of cancers.

7 Q. Do you agree that you could have a renal
8 cell carcinoma arise in the kidney, but they never
9 arise in the lung?

10 A. Well, if it's a renal cell carcinoma,
11 meaning by definition, it's arised in the kidney, it
12 arised in the kidney, not in the lung -- the lung is
13 not the kidney -- sometimes it's pretty clear and
14 sometimes it's not so clear from a pathologist's
15 perspective.

16 Real cancers have -- because that actually
17 is probably the most common situation, where
18 somebody has had something that I actually thought
19 was a lung cancer that turned out to be a metastatic
20 renal carcinoma, there are pathologists that are
21 usually able to be pretty definitive.

22 Q. You agree that carcinomas can arise
23 anywhere that there's epithelial tissue?

24 A. Yes.

25 Q. And all organs contain epithelial tissues?

0112

1 A. All solid organs contain epithelial
2 tissues. I guess the blood isn't an organ that
3 contains epithelial tissues.

4 Q. For example the lungs, GI tract, the
5 genitourinary tract, the pancreas, the breast,
6 prostate, skin, they all have epithelial tissue?

7 A. Yes.

8 Q. Do you agree that you could not look at a
9 CT of a tumor in the ovary and say this is carcinoma
10 and the cell type is mucinous adenocarcinoma without
11 tissue?

12 MR. GDANSKI: Object to the form.

13 A. Yes.

14 Q. And do you agree that you could not look at
15 a suspicious node in the lung and say I diagnose
16 this as a squamous cell carcinoma without tissue?

17 MR. GDANSKI: Object to the form.

18 A. Yes.

19 Q. You agree that the different cell types of
20 cancers have different treatment and prognoses?

21 A. To some extent. But it's more important
22 the stage of the cancer, is it localized, is it
23 surgically resectable, is it amenable to definitive
24 local therapy.

25 Q. Do you agree other conditions can mimic

0113

1 cancer radiographically?

2 MR. GDANSKI: Object to the form.

3 A. Under certain circumstances, yes.
4 Q. What other conditions can mimic cancer?

5 MR. GDANSKI: Object to the form.

6 A. For example --

7 Q. Let me ask a better question. What other
8 conditions can mimic cancer radiographically?

9 A. As a thoracic oncologist, there's a disease
10 called sarcoidosis associated with predominantly
11 large hilar lymph nodes. Those are lymph nodes in
12 the center of the chest as opposed to mediastinal
13 lymph nodes that are -- I'm sorry, hilar lymph nodes
14 are part of the lung itself as opposed to
15 mediastinal lymph nodes that are in the chest, but
16 technically in the lung itself.

17 Not infrequently over my career I've seen
18 patients who we suspected as having a primary lung
19 cancer that turned out to have sarcoidosis, for
20 example.

21 There are other collagen vascular diseases
22 that can sometimes present with large lymph nodes.

23 Q. Do you agree that bacterial infections can
24 mimic cancer radiographically?

25 A. Well, it's very, very typical for patients
0114

1 with lung cancer, for example, to present with an
2 obstructive pneumonia, and the x-ray is read as
3 pneumonia. But usually, you know, you're able to
4 deal with that circumstance.

5 Q. But outside of that circumstance where
6 you're dealing with a primary lung cancer, do you
7 agree that bacterial infections can mimic or look
8 like cancer radiographically?

9 MR. GDANSKI: Object to the form. Asked
10 and answered.

11 A. Depends upon the circumstance. Certainly a
12 bacterial infection can look like -- somebody who is
13 a smoker and presents with a big pneumonia might be
14 read as pneumonia, we might suspect it would be an
15 obstructive pneumonia due to the cancer, but he's
16 not going to present with extensive liver
17 metastases. So it's not an issue relevant to this
18 case.

19 Q. Do you agree that pneumonia can look like
20 cancer radiographically, outside the context of
21 whether or not a patient has a primary lung cancer?

22 MR. GDANSKI: Object to the form.

23 A. No. What happens is pneumonia would
24 basically obscure the central cancer and the image
25 makes it very, very difficult to distinguish the
0115

1 two.

2 Q. So you don't believe that pneumonia can
3 mimic cancer radiographically?

4 MR. GDANSKI: Object to the form. Asked

5 and answered.

6 A. It can under certain circumstances, but it
7 won't in a circumstance like this, where the bulk of
8 the disease is metastatic.

9 Q. Do you agree that granulomas can look like
10 cancer radiographically?

11 A. Well, granulomas occur in -- a granuloma is
12 something that a pathologist needs to diagnose. So
13 the radiologist will see shadows that could be
14 granulomatous disease, could be cancer, so just
15 based upon the imaging.

16 Another example of a disease that's not
17 cancer, mimicking cancer, is a disease called
18 Castleman's disease, after Dr. Castleman, who will
19 see big lymph nodes in the chest. It's sort of a
20 hematologic disease that may evolve, but again,
21 that's technically a benign condition.

22 Q. Do you agree that amyloidosis can look like
23 cancer radiographically?

24 A. Amyloidosis is a great mimicker. I'm not
25 -- one of my colleagues is a world expert on

0116

1 amyloidosis. I'm certainly not. It's actually
2 never come up in the differential diagnosis in my
3 experience. I just don't know the answer to that
4 question.

5 Q. Do you agree that both abscesses and
6 hamartomas can look like cancer radiographically?

7 A. When you see an abscess or a hamartoma, we
8 often see those, yes, they can.

9 Q. Do you agree benign tumors can also look
10 like cancer radiographically?

11 MR. GDANSKI: Object to the form.

12 A. Yes.

13 Q. Do you agree that in large lymph nodes for
14 other reasons, like due to infection, can look like
15 cancer radiographically?

16 MR. GDANSKI: Object to the form.

17 A. Yes.

18 Q. Do you agree tuberculosis can look like
19 cancer radiographically?

20 A. Yes. It used to be they were common -- it
21 always comes up, but never turns out nowadays to be
22 the issue in my experience.

23 Q. Do you agree that you cannot look at an
24 enlarged lymph node in the mediastinum and diagnose
25 this as cancer?

0117

1 MR. GDANSKI: Object to the form.

2 A. Right.

3 MR. GDANSKI: Inappropriate hypothetical.

4 Q. You also can't look at that enlarged lymph
5 node in the mediastinum and say this is not cancer?

6 A. Right. If you're just looking at the

7 enlarged lymph node in the mediastinum -- well, it
8 depends upon the size a little bit. But I would
9 agree with that statement.

10 Q. You can have suspicions about what that
11 enlarged lymph node is radiographically, but in
12 order to distinguish between the conditions that
13 mimic the cancer and are actual cancer, you have to
14 do pathology, true?

15 MR. GDANSKI: Object to the form.

16 A. You don't have to. It depends upon the
17 clinical circumstance.

18 Q. But it's very uncommon in your practice not
19 to get the pathology to distinguish between a cancer
20 and a non-cancer in that situation?

21 MR. GDANSKI: Object to the form.

22 A. Usually when we're doing a biopsy with a
23 strong suspicion of cancer, particularly if it's a
24 patient that I've seen, and I do see quite a few
25 patients without prior to the diagnosis, but most

0118
1 likely I would be asked if there was a strong reason
2 to think it wasn't cancer.

3 Q. But in that situation, when you had an
4 enlarged lymph node in the mediastinum, in order to
5 say this is cancer or not cancer, you have to do the
6 pathology to make that assessment, true?

7 MR. GDANSKI: Object to the form.

8 A. Usually you would do it. It depends on the
9 circumstances. I think the plan was not to biopsy
10 the mediastinum, which is a more invasive procedure,
11 but to do a liver biopsy.

12 Q. With respect to --

13 A. Certainly that's the way -- I mean, if you
14 do -- if you do a node biopsy in somebody who has
15 obvious lymph metastases, and you haven't proven the
16 stage of the disease. If you biopsy the liver, you
17 both have established histology and the stage at the
18 same time.

19 (Document marked as Exhibit 13
20 for identification)

21 Q. Doctor, I'm handing you what's been marked
22 as Exhibit 13. Do you see that's a medical record
23 on Helen Cohen that's dated March 6, 2006?

24 A. There's a stamp. Is that part of the
25 medical record. Usually the name would be up there.

0119
1 I assume it's Helen Cohen. I don't know whether
2 that's something that was postdated or whatever.

3 Q. Bryan Wasserman, that's her doctor. Do you
4 remember?

5 A. Yes.

6 Q. I'm assuming his stamp is on there, because
7 it says Bryan Wasserman, but maybe I'm wrong. Is
8 this a medical record you've seen before today?

9 A. I probably have, but I don't specifically
10 remember this record. Let me read it. I'm having
11 trouble -- I've probably seen it. It doesn't ring
12 specific bells. I can read part of it, but I'm
13 having trouble reading it all.

14 Q. Let me see if we can get through this
15 document. Do you see that the plan for Helen Cohen
16 was to do a biopsy of her liver either that day or
17 the next morning?

18 A. Do you want to point that out? I don't see
19 that. I'm sure it's just a penmanship issue.

20 Q. Look at the very top entry. The top entry
21 is for March 6, 2006, and the first line says
22 "Medical Oncologist."

23 A. I thought it said that, but I wasn't
24 certain, yes.

25 Q. Now, it says four lines down, "Reviewed CT
0120

1 scans with Dr. Rosencrantz." Do you see that?

2 A. Yes.

3 Q. "Can obtain mini core image guided liver
4 biopsy." Do you see that?

5 A. Okay. Sure.

6 Q. "If patient and family want to pursue this
7 for tissue diagnosis." Do you see that?

8 A. Yes.

9 MR. GDANSKI: Object and ask that the
10 entire record be read.

11 Q. So the purpose here for showing you this is
12 that Helen Cohen's doctor assessed that she could
13 undergo a liver biopsy, right?

14 A. I'm having trouble with the next line.
15 Could you just read that for me?

16 Q. Sure. The last line?

17 A. Next to last line?

18 Q. "Suspect metastatic lung cancer, given
19 clinical presentation."

20 A. Oh, yeah. I would certainly agree with
21 that.

22 Q. So on this date, her doctor, Dr. Koletsky,
23 right?

24 A. Yes. I've seen a typed note by him, yes.

25 Q. He's her oncologist, right?

0121

1 A. I guess he saw her in the hospital.

2 Q. Do you know whether he's her oncologist?

3 A. The note I saw was for leukocytosis.

4 Q. So what Dr. Koletsky has written is
5 "Suspect metastatic lung cancer," right?

6 A. Yes.

7 Q. He doesn't say diagnosis metastatic lung
8 cancer, right?

9 A. That's correct.

10 Q. At this point in time Helen Cohen is

11 suspected of having metastatic lung cancer, right?

12 MR. GDANSKI: Object to the form.

13 A. Yes.

14 Q. And they are talking here about doing an

15 image-guided liver biopsy for her, right?

16 A. Yes.

17 Q. So the purpose of that was to investigate

18 the type of cancer that Helen Cohen had, right?

19 A. Yes.

20 Q. Was that biopsy ever done?

21 A. It was not.

22 Q. And you understand that Helen Cohen's

23 doctor assessed that she would be able to undergo

24 that procedure, right?

25 A. I don't see that he said that.

0122

1 Q. Do you have any reason to say that she was

2 unable to undergo that condition?

3 A. I have no reason.

4 Q. So assuming she could undergo that, do the

5 medical records also reflect that either she or the

6 family declined to undergo that liver biopsy?

7 A. I know she didn't have the biopsy. I don't

8 see anything in that record that you showed me that

9 indicated that the family declined it, but it's a

10 penmanship issue. I assume that they declined it.

11 I'm not disputing it.

12 Q. Let's look at Exhibit 5. Down on the

13 bottom of the second entry, the very last line says,

14 "Needle biopsy of liver today or a.m." Do you see

15 that?

16 A. Could you read the whole thing to me? I

17 would like to answer your question in the context of

18 the note. It looks to me that that's written by

19 probably pulmonary, I assume. I don't know that for

20 a fact, but I can't read it. I don't know what that

21 first line says.

22 Q. What first line?

23 A. The pulmonary, what you gave me is

24 highlighted in yellow.

25 Q. Yes.

0123

1 A. Then something, something, something,

2 something, "read CT with doctor" something. "No"

3 something. I can't read it.

4 Q. I will represent to you this is Dr.

5 Jacobson's note. He's her pulmonologist. Do you

6 accept that?

7 A. I have no reason to dispute it.

8 Q. It says down at the bottom, "Needle biopsy

9 of liver today or a.m." Do you see that?

10 A. I'll take your word for it that that's what

11 it says, yes.

12 MR. GDANSKI: I would just object and ask

13 that the entire sentence be read.

14 A. What does it say after that?

15 Q. Doctor, there's no intent to hide
16 something.

17 A. "Probably will go with hospice," if I'm
18 reading it correctly.

19 Q. Yes. So do you have any reason to dispute
20 that Helen Cohen was medically able to undergo a
21 needle biopsy, but declined to do so?

22 A. If somebody has a liver and has got things
23 -- holes in the liver, they're able to undergo it.
24 It's a pretty innocuous procedure, but somebody who
25 is five days from her death, you know, is somebody
0124

1 that maybe you should think twice about.

2 One of the highlights of bad care is
3 somebody is given treatment for cancer and dies --
4 I'm sorry, somebody is given chemotherapy and dies
5 within a week or, you know, of that. I mean, this
6 was a patient who obviously was dying based upon
7 what happened. They were discussing hospice at this
8 point in time.

9 So sure, you know, histology might have --
10 would have given you additional information that you
11 don't definitively have, it wouldn't have helped in
12 her management. If I were her oncologist and a
13 dying patient, I would argue against doing the
14 biopsy, unless the patient and/or family wanted to
15 be certain. But it looks like there was not -- you
16 know, if they were thinking about hospice before
17 doing the biopsy, why do the biopsy.

18 (Document marked as Exhibit 14
19 for identification)

20 Q. Let's look at Exhibit 14. This is the
21 discharge summary for Helen Cohen from the Delray
22 Medical Center?

23 A. I've seen this, yes.

24 Q. And this is dated March 7, 2006, right?

25 A. Yes.

0125

1 Q. Her diagnosis upon discharge was lung
2 cancer was suspected, right?

3 A. That's not what I'm reading.

4 Q. Under "Hospital Course."

5 A. The diagnosis says "Lung cancer with
6 multiple metastases to liver." That's what I see.

7 Q. Look under "Hospital Course," doctor.

8 MR. GDANSKI: I object to the form.

9 Q. Do you see that this doctor filled out the
10 discharge summary, explains that lung cancer was
11 suspected?

12 MR. GDANSKI: Object to the form.

13 A. Where?

14 Q. It's the bottom of the first paragraph of

15 "Hospital Course."

16 A. Yes, lung cancer is suspected.

17 Q. So according to this, doctor, when she left
18 the hospital at Delray Medical Center, she had lung
19 cancer that was suspected, true?

20 MR. GDANSKI: Object to the form.

21 A. Yes.

22 Q. It had not been confirmed, true?

23 A. Yes.

24 MR. GDANSKI: Object to the form.

25 A. It had not been pathologically confirmed.

0126

1 I would maintain that had she had a biopsy, it would
2 have given you 100 percent proof that she had
3 cancer, but it probably would not have given you 100
4 percent proof that it was primary lung cancer.

5 Q. Is it fair to say, doctor, that that's
6 speculation, simply because you don't have the tests
7 and you don't know what it would say?

8 MR. GDANSKI: Object to the form.

9 A. It's not speculation. I'm not claiming to
10 be 100 percent certain, but it's far from
11 speculation.

12 Q. Well, you don't know what the test was,
13 true? You don't know what the results were, right?

14 A. Discharge diagnosis, lung cancer with
15 multiple metastasis to liver. Nobody, besides the
16 tobacco industry, would disagree with that.

17 Q. No one disputes that this record says that.
18 This record says that.

19 A. Nobody would dispute that that's what she
20 had, with the caveat that she didn't have a biopsy
21 to prove that she had cancer at all.

22 Q. Doctor, do you consider yourself an
23 advocate or an expert?

24 MR. GDANSKI: Object to the form.

25 Argumentative.

0127

1 A. Depends on the context.

2 Q. Today are you an advocate or an expert?

3 A. I don't know what you mean by an advocate.

4 Q. Do you know what an advocate is?

5 MR. GDANSKI: Argumentative. I think I
6 did.

7 A. I think I do.

8 Q. Tell me what an advocate is?

9 A. You tell me.

10 Q. I'm sorry, I get to ask the questions.
11 What is an advocate?

12 MR. GDANSKI: Object to the form.
13 Argumentative and harassing.

14 MR. FILBERT: Jon, the objection is to
15 form. We've gone over this multiple times in
16 multiple depositions. You've told me specifically

17 multiple times my objection is to form. You've
18 violated that rule over and over. We have been very
19 patient. Just object to form.

20 MR. GDANSKI: This line of questioning is
21 argumentative.

22 Q. What's an advocate?

23 A. One who advocates something.

24 Q. Do you believe that someone who's an
25 advocate has their biases infect their opinions?

0128

1 MR. GDANSKI: Object to the form.

2 A. Given the political season we just went
3 through, I think the answer is yes, but it certainly
4 is not affecting my opinion in this case.

5 Q. That was going to be my question. Do you
6 believe that you're an advocate or an expert in this
7 case?

8 A. I am an expert.

9 Q. Okay. I promise you, we're going to get to
10 all the records, and I know your views, what your
11 view is. All my purpose here is to simply see if we
12 can get through them so I can understand them.
13 That's all.

14 A. Sure.

15 Q. Do you agree cancers can arise just about
16 anywhere in the body?

17 A. That's a pretty broad area. Cancers arise
18 in most organs.

19 Q. Cancers can spread by direct extension?

20 A. They can.

21 Q. They can spread by gaining access to the
22 lymph system?

23 A. They can.

24 Q. They can gain access to the blood supply
25 and spread that way?

0129

1 A. They can.

2 Q. And once cancer gets in the blood supply,
3 it can go anywhere, right?

4 A. It can go anywhere, but metastases are not
5 random. They tend to occur in specific organs much
6 more commonly than others.

7 Q. In the lymphatics are a network of vessels
8 that carry fluid called lymph toward the heart?

9 A. I'm sorry?

10 Q. The lymphatic system is a group or network
11 of vessels that carry a fluid called lymph toward
12 the heart?

13 A. Not lymph toward the heart. They carry
14 lymph to other lymphatic organs. There are many
15 lymphatic organs in the mediastinum, not in the
16 heart. There are no lymph nodes that I know of in
17 the heart itself, I don't believe. I'm not positive
18 of that.

19 Q. Maybe I phrased it poorly. The lymph fluid
20 goes through the chest area and to the thoracic
21 duct?

22 A. Yes.

23 Q. And the thoracic duct runs through the
24 length of the thorax, right?

25 A. Yes.

0130

1 Q. Do you agree that cancer can metastasize
2 through the blood?

3 A. Yes.

4 Q. Do you agree that cancer can metastasize
5 through the lymph system?

6 A. Yes.

7 Q. Do you agree that the lung is a commonplace
8 for metastases to go to?

9 A. Yes.

10 Q. And do you agree that almost half the
11 cancers that are found in the lung are the result of
12 metastases to the lung?

13 MR. GDANSKI: Object to the form.

14 A. I don't agree with that statement
15 necessarily. It depends upon the context.

16 Q. Do you agree about -- well --

17 A. Most of the time it's pretty obvious.

18 Q. Do you agree that virtually any malignancy
19 can spread to the lung?

20 A. I never thought of it in those terms. I
21 certainly agree that the lung is one of the common
22 places that cancer spreads to. The pattern, you
23 know, is bilateral multiple masses in both lungs
24 where it's obvious.

25 Q. Do you agree it's been reported that

0131

1 somewhere between 30 and 53 percent of all patients
2 who died with a malignancy had a pulmonary
3 metastasis?

4 A. You'll have to show me where you're getting
5 that. May I take a break?

6 Q. Sure.

7 (Recess)

8 BY MR. DAVIS:

9 Q. Now, do you see I've handed you what's been
10 marked as Exhibit 15 to your deposition?

11 A. Yes.

12 (Document marked as Exhibit 15
13 for identification)

14 Q. Do you see this is a portion of a chapter
15 book called "Pulmonary Pathology"?

16 A. That's the name of the book, not the
17 chapter; is that correct?

18 Q. Fair enough.

19 A. Yes.

20 Q. So there's a discussion in this book that

21 talks about metastatic lung neoplasms?

22 A. Yes.

23 Q. And neoplasms is another word for cancer?

24 A. No. Neoplasms could be benign or
25 malignant. So neoplasms is not cancer. Where it
0132

1 says "metastatic lung neoplasms," that's cancer.

2 Q. Now, it says here in this text that "As the
3 most common neoplasms in the lung were metastatic
4 tumors, a brief discussion of these lesions seems
5 appropriate."

6 Do you agree the most common neoplasms in
7 the lung are metastatic tumors?

8 A. I agree that he says that. I guess I'm not
9 -- I don't agree or disagree. I would actually love
10 to see, you know, Dr. Hammar is well respected, and
11 he obviously -- this is a textbook, so it's not
12 primary literature.

13 So I would actually love to see what
14 references he's citing there. There are four
15 references that are cited in this sentence, but I'm
16 not disputing it. I'm not disputing he says it, and
17 I'm not disputing it's true, but I don't absolutely
18 know it's true.

19 Q. Dr. Hammar is a respected scientist?

20 MR. GDANSKI: Object to the form.

21 A. Yes.

22 Q. Are you familiar with this textbook called
23 "Pulmonary Pathology"?

24 A. No. I know it existed.

25 Q. Do you have any reason to disagree it's
0133

1 reliable and authoritative?

2 MR. GDANSKI: Object to the form.

3 A. I've never understood exactly what
4 "authoritative" means.

5 Q. Would you feel comfortable in relying on
6 what Dr. Hammar says in his textbook?

7 MR. GDANSKI: Object to the form.

8 A. No. I'm actually interested in this, so
9 frankly, reading that -- again, I'm not disputing
10 it. But is that what somebody said or is this a
11 review of some of those references looking at every
12 patient who had cancer involving the lung over 30
13 years at the Dana Farber or Memorial Sloan-Kettering
14 or MD Anderson, and are you able to dispute that or
15 is this some pathologist. He's simply referencing
16 somebody else.

17 So I'm not questioning his character or
18 skills, but I guess I would love to sort of see the
19 basis of that.

20 Q. The next sentence says, "It has been
21 reported that 30 to 53 percent of all patients who
22 died with a malignancy had pulmonary metastasis, and

23 in 15 to 25 percent with pulmonary spread, the lung
24 was the only site of metastases."

25 Do you agree with that?

0134

1 A. I agree that that's what that statement
2 states.

3 Q. Do you have any reason to disagree with the
4 factual information that's put in that sentence?

5 A. I have no reason to disagree. Frankly, I
6 would be interested in looking at those references
7 and actually reviewing those references, the 292,
8 293, 294, 295 and 296.

9 Q. Do you agree that the most common tumors,
10 metastatic to the lung, arise in the breast, colon,
11 the pancreas, the stomach, the skin, such as
12 melanoma, and kidney?

13 A. I don't disagree with that. Certainly
14 those cancers can present that way, but the vast
15 majority of the time it's somebody who has got a
16 preexisting known cancer and spread to the lung.
17 No, I don't disagree with that.

18 Again, I would also indicate I would be
19 interested in looking at the primary references
20 rather than just a review of the references.

21 Actually, colon should not be there.
22 Rectal should be -- well, actually, I take that
23 back. Colon cancer, when it's end stage, will
24 spread to the liver and then to the lung as well,
25 but it's very uncommon.

0135

1 The vast majority of these patients are
2 patients who are known to have colon cancer, and
3 rectal can go most directly to the lung without
4 going to the liver.

5 (Document marked as Exhibit 16
6 for identification)

7 Q. I'm going to show you what's been marked as
8 Exhibit 16 to your deposition. Do you see this is a
9 portion of the textbook "Cancer Treatment"?

10 A. Yes.

11 Q. By Charles Haskell?

12 A. Yes.

13 Q. Do you recognize this as authoritative or
14 reliable?

15 MR. GDANSKI: Object to the form.

16 A. I don't understand what you mean by
17 authoritative or reliable.

18 Q. Is Dr. Haskell a respected scientist in the
19 field of cancer treatment?

20 A. I actually do have a copy of his book on my
21 shelf, but I have not used it, and I have no reason
22 to dispute that, but I don't independently know
23 that.

24 Q. Look at Page 1134.

25 A. Okay.

0136

1 Q. It says under "Radiographs," the second
2 paragraph, first sentence, "No chest x-ray pattern
3 can differentiate a metastasis from a primary lung
4 cancer." Do you see that?

5 A. Do you know what MUOR, those malignancies
6 of unknown origin; is that what that stands for?

7 Q. I believe that's true.

8 A. It's usually called CUPS, carcinoma with no
9 primary, but that's okay. I see that sentence.

10 Q. Do you agree with that?

11 A. Not necessarily. There's always the remote
12 possibility, and I already gave a few examples in my
13 own experience. But most of the time when patients
14 have metastases, they have got a previous primary
15 cancer and there's more than one. But certainly
16 metastases can present as a solitary mass, which
17 would suggest a primary versus metastasis.

18 So I don't agree with the statement that a
19 chest x-ray -- I mean, if somebody presents with
20 bilateral lung metastases, I guess it's possible
21 they have 15 independent primary lung cancers, but
22 it's almost certainly metastatic disease.

23 Q. Would you agree most of the time chest
24 x-rays cannot differentiate a metastasis from a
25 primary lung cancer?

0137

1 MR. GDANSKI: Object to the form.

2 A. Do I agree with that?

3 Q. Yes. Most of the time a chest x-ray cannot
4 differentiate a metastasis from a primary lung
5 cancer?

6 MR. GDANSKI: Object to the form.

7 A. I don't agree with that. I think a chest
8 x-ray is pretty useful in the proper context.

9 Q. Look at the next sentence. "Whereas
10 multiple nodules and infiltrates suggest metastasis,
11 patterns suggestive of a primary lung carcinoma,
12 coin lesions, malignant fusions or hilar masses
13 represent metastasis in 45 percent of cases."

14 A. I certainly agree that that sentence says
15 what you said. I would be very interested in what
16 reference six is, and I don't agree with that. It
17 says coin lesions, they are talking about a patient
18 with multiple coin lesions. Malignant effusions
19 certainly can occur in lung cancer and metastatic
20 disease and hilar masses, plural, I don't agree or
21 disagree. I agree you read the sentence correctly.

22 Q. Do you have any reason to dispute the
23 sentence that I read?

24 MR. GDANSKI: Object to the form.

25 A. I do disagree with it. I think if somebody

0138

1 presents with a primary mass without other things --
2 again, you've not -- without other suggestions,
3 particularly where you may be suspecting that you're
4 dealing with a stage one lung cancer, which is
5 highly curable with surgical resection, again,
6 solitary metastases can be the manifestation of
7 metastatic cancer. I've seen that, but that's not
8 common.

9 Q. Do you agree it can often be difficult to
10 distinguish between metastatic cancer to the lung as
11 opposed to primary cancer of the lung?

12 MR. GDANSKI: Object to the form.

13 A. I don't. In the context of the history of
14 a solitary nature -- the bottom line is a patient
15 with a solitary lung mass, that looks like it's a
16 malignancy, but without obvious other sites of
17 metastatic disease, would assumed to be a primary
18 early stage lung cancer until proven otherwise.
19 It's usually not difficult, but can sometimes be
20 metastatic disease.

21 Q. Do you believe cancer of any site can
22 spread to the hilum or the mediastinum?

23 A. No, I don't think so. Certain cancer from
24 many sites might, but I don't agree that cancer from
25 any site can --

0139

1 Q. I will try to be more specific. Do you
2 agree that cancer from the pancreas can spread to
3 the hilum or the mediastinum?

4 A. Yes.

5 Q. Do you agree that cancer of the breast can
6 spread to the hilum or the mediastinum?

7 A. Absolutely.

8 Q. Do you believe that cancer of the colon can
9 spread to the hilum or the mediastinum?

10 A. Very uncommonly without also first
11 spreading to the liver, considering the nature of
12 the venous pattern.

13 Q. Do you believe primary ovarian cancer can
14 spread to the hilum or the mediastinum?

15 MR. GDANSKI: Object to the form.

16 A. Very uncommon.

17 Q. But it can?

18 MR. GDANSKI: Object to the form.

19 A. Yes.

20 Q. You've seen that in your patients?

21 A. I can't specifically recall seeing ovarian
22 cancer presenting with multiple pulmonary
23 metastases, I can't specifically recall a case of
24 ovarian cancer that spread to the hilum mediastinum
25 without lots of other evidence of metastatic ovarian

0140

1 cancer.

2 Q. Do you agree that primary stomach or GI

3 cancer can spread to the hilum or mediastinum?
4 A. Stomach or GI cancer, again, we already
5 talked about colon cancer, which is a GI cancer,
6 which can, but it's usually pretty obvious. Gastric
7 cancer usually will involve the liver, but it can
8 spread to the lung and chest nodes.

9 Q. And the hilum and the mediastinum?

10 A. Yes.

11 Q. Do you agree primary cancer of the thyroid
12 can spread to the hilum or mediastinum?

13 A. Can and -- when thyroid cancer spreads,
14 it's usually to the lungs. That's often what we
15 call a micronodule pattern. It's thyroid cancer,
16 and thyroid cancer is a papillary or follicular
17 thyroid cancers might spread when they spread beyond
18 the thyroid and regional nodes to the lungs and not
19 so much the hilum or mediastinum.

20 Q. Do you agree that the radiographic
21 appearance that suggests metastases to the lung
22 includes multiple nodules and infiltrates?

23 MR. GDANSKI: Object to the form.

24 A. Those are two separate questions. Do I
25 agree that --

0141

1 Q. Let me break them out. Do you agree that
2 the radiographic appearance that suggests metastasis
3 to the lung includes multiple lymph nodes?

4 MR. GDANSKI: Object to the form.

5 A. Lymph nodes?

6 Q. The radiographic appearance that suggests
7 metastases to the lung includes multiple nodules?

8 MR. GDANSKI: Object to the form.

9 A. Yes, by all means. Multiple nodules within
10 the lung.

11 Q. The radiographic appearance that suggests
12 metastases to the lung that includes infiltrates?

13 A. It could, but usually you're going to see
14 nodular densities.

15 Q. The radiographic appearance that suggests
16 metastasis to the lung may also include when you
17 have multiple nodules and infiltrates, true?

18 A. Well, multiple nodules. Infiltrates
19 doesn't so much, you know, you certainly would be
20 thinking more of infectious, unless you're thinking
21 of a specific type of lung cancer, bronchoalveolars
22 cancer, which is a cancer that often looks more like
23 bilateral pneumonias.

24 Q. Do you agree that if you just had the
25 history of a person who smoked or who was currently

0142

1 smoking, that alone would not be enough to make a
2 determination that that person had primary lung
3 cancer?

4 MR. GDANSKI: Object to the form.

5 A. Most people -- do you mean if a smoker --
6 if somebody who is a smoker, and knowing nothing
7 else about them, whether there's any suggestion of
8 cancer at all, that they have primary lung cancer?
9 I certainly wouldn't diagnose a smoker as having
10 lung cancer unless there's some other evidence that
11 there's cancer.

12 Q. Let me ask a different question. If you
13 had a patient that had symptoms like Helen Cohen as
14 of February or March of 2006, you had no
15 radiographic films, and all you knew was that she
16 had been a smoker in the past, that alone would not
17 be enough to make a diagnosis of primary lung
18 cancer, would it?

19 MR. GDANSKI: Object to the form.

20 A. I totally agree with that question.

21 Q. And again, if you had no radiographic film,
22 no other testing, and a patient tells you that they
23 have smoked in the past or are currently smoking,
24 and has symptoms, that alone would not be enough to
25 make a diagnosis of primary lung cancer?

0143

1 MR. GDANSKI: Object to the form.

2 A. You're talking about 100 million people in
3 this country. I would agree.

4 Q. Do you agree that you could have
5 postobstructive infiltrates from tumors that
6 obstruct the bronchus?

7 A. Of course.

8 Q. Do you agree that you could have
9 postobstructive infiltrates from tumors in the
10 mediastinum or hilum outside of the lungs
11 obstructing the bronchus?

12 MR. GDANSKI: Object to the form.

13 A. The hilum is in the lung. The mediastinum
14 is in the chest outside of the lung. The answer to
15 your question is, yes.

16 Q. Do you agree that you could have mucus or
17 other debris obstructing a bronchus that could give
18 the same appearance?

19 MR. GDANSKI: As what?

20 MR. DAVIS: As postobstructive infiltrates
21 from tumors in the mediastinum or hilum.

22 MR. GDANSKI: Object to the form.

23 A. You're not going to have mucus in the
24 mediastinum or the hilum. It could be central in
25 the chest. Mucus plugging is often something that

0144

1 can be an issue, but usually the clinical setting is
2 sort of a giveaway for that.

3 Q. Do you agree that sometimes the pathologist
4 cannot determine what kind of cancer he or she is
5 looking at under the microscope, and then orders
6 additional staining to be done on the tissue?

7 MR. GDANSKI: Object to the form. Calls
8 for speculation.

9 A. Could you restate the question or could you
10 just ask it?

11 Q. Yes. In some cases the pathologist can use
12 special staining to try and diagnose the cancer seen
13 under a slide?

14 MR. GDANSKI: Object to the form.

15 A. Absolutely, yes.

16 Q. And when that happens, the tissue is put on
17 the slide and stained by the pathologist to try to
18 determine what kind of cancer a patient has,
19 correct?

20 A. It can provide evidence.

21 Q. And different kinds of cancers stain in
22 different ways?

23 MR. GDANSKI: Object to the form.

24 A. To some extent, yes.

25 Q. And stains can also be used to help

0145

1 determine the primary site of where the cancer
2 arose?

3 A. To a considerable extent, yes.

4 Q. Sometimes you can have cell types of
5 cancers that look the same, regardless of where they
6 arise?

7 MR. GDANSKI: Object to the form.

8 A. Absolutely. Most cancers in adults are
9 adenocarcinomas, and there's a good chance she might
10 have had, we don't know that, and adenocarcinoma is
11 under -- from the breast, colon, lung, and other
12 sites can look the same and special stains are often
13 helpful there. What's often very helpful is the
14 clinical scenario, with the latter being most
15 important.

16 Q. But you would again agree that the
17 pathologist needs the tissue to put on the slides
18 and staining to try to help determine the primary
19 site?

20 MR. GDANSKI: Object to the form.

21 A. It's often done.

22 Q. This will be an easy one.

23 MR. GDANSKI: You said that earlier to one
24 that was very not easy.

25 Q. No tissue was ever obtained in Helen

0146

1 Cohen's case?

2 A. To the best of my knowledge, no.

3 Q. Do you agree that there was no tissue
4 obtained from Helen Cohen to determine whether she
5 had a condition mimicking cancer or actually had
6 some type of cancer?

7 MR. GDANSKI: Object to the form.

8 A. Well, I have no question she had cancer. I

9 can't imagine anything mimicking this clinical
10 scenario. But she didn't have a tissue diagnosis to
11 confirm with 100 percent certainty that she had
12 cancer. This was not mimicking cancer. Maybe she
13 mimicked death three days later.

14 Q. Do you agree there was no tissue obtained
15 in Helen Cohen's case to determine the cell type of
16 cancer?

17 A. Yes.

18 Q. And do you have any opinions on the cell
19 type of cancer that Helen Cohen had?

20 A. Do I have --

21 Q. Let me rephrase it. Are you going to offer
22 any opinions at the trial of this case about the
23 cell type of cancer that Helen Cohen had or are you
24 unable to do that because we don't have a tissue
25 sample?

0147

1 MR. GDANSKI: Object to the form.

2 A. I could speculate, but I can't say for
3 sure.

4 Q. I don't want your speculation. So is it
5 fair to say that you are unable to tell us today
6 what cell type of cancer that Helen Cohen had at the
7 time of her death?

8 MR. GDANSKI: Object to the form.

9 A. If you ask me what I thought she had it,
10 I'd be glad to tell you in terms of cell type, but I
11 certainly can't tell you with any degree of
12 certainty what cell type she had.

13 Q. Do you agree that in all the medical
14 records that you've looked at on Helen Cohen,
15 there's not a single doctor that makes any mention
16 of a cell type of Helen Cohen's suspected cancer?

17 MR. GDANSKI: Object to the form.

18 A. No, I don't agree.

19 Q. You don't agree?

20 A. Right.

21 Q. There's a medical record that you've seen
22 that references a cell type of Helen Cohen's
23 suspected cancer?

24 A. There's a sentence in the deposition that
25 speculated on the cell type.

0148

1 Q. Whose deposition speculated on a cell type?

2 A. Dr. Jacobson.

3 Q. I'll come back to the deposition in a
4 second. In terms of a medical record on Helen Cohen
5 that you have seen or are aware of, there is no
6 medical record that reference any cell type
7 diagnosis for her suspected lung cancer; is that
8 true?

9 A. I've certainly not seen every medical
10 record, but there's nothing that I've seen that

11 speculated in the medical records what kind of cell
12 type.

13 Q. You've looked at pages and pages of medical
14 records, but you haven't found that, have you?

15 A. Right. I have not. Let me look at the CT
16 report. No, no medical record that I've seen.

17 Q. Now, with respect to Dr. Jacobson's
18 speculation about the cell type, do you know whether
19 or not today he still holds that opinion?

20 MR. GDANSKI: Object to the form.

21 A. I've not spoken to Dr. Jacobson today.

22 Q. Have you read the second volume of his
23 deposition?

24 A. I've scanned it. I do know that he was a
25 little bit intimidated by you guys.

0149

1 Q. You're not being serious, are you, doctor?

2 A. I'm not being serious.

3 Q. Are you aware, as we sit here today, that
4 Dr. Jacobson withdrew his speculation about that?

5 A. We haven't talked about what he said. Why
6 don't you show it to me.

7 Q. I will. I promise we're going to get to
8 this when we get back. I'm not going to chew up the
9 time, but I promise I'll show it to you.

10 MR. GDANSKI: Show him what?

11 MR. DAVIS: What he asked me to show him.

12 (Discussion off the record)

13 (Luncheon Recess)

14

15

16

17

18

19

20

21

22

23

24

25

0150

1 AFTERNOON SESSION

2 BY MR. DAVIS:

3 Q. Dr. Strauss, I've got some housekeeping
4 issues to start off with. Are you ready to restart
5 your deposition?

6 A. I am.

7 Q. Do you understand you're still under oath?

8 A. I am.

9 Q. With respect to Exhibit 10, that's the CD
10 marked "Cohen Med Rec," am I right that you did not
11 review or rely upon these documents in order to form
12 your opinion because you couldn't access them?

13 A. I could access them. I got it yesterday.
14 I opened it up and I opened to random documents that
15 were some old records. But I didn't read those.

16 Q. And you're not relying upon the documents
17 that are on the CD that's been marked as Exhibit 10
18 to form your opinions in the case, are you?

19 MR. GDANSKI: Object to the form.

20 A. Yes, that's correct.

21 Q. Now, I've taken the 14 disks that you
22 provided, and marked them as Exhibit 17 through 30.
23 Can you confirm that that's accurate?

24 (CDs marked as Exhibits 17 through 30
25 for identification)

0151

1 A. I don't see anything on that. This is, it
2 looks like 20 and 21. So that one wasn't marked, it
3 looks like. Here is another one. It looks like 26
4 is missing.

5 Q. I suspect what happened is they may have
6 fallen off. Okay, handing them back to you, is that
7 Exhibits 17 through 30, the 14 disks?

8 A. Yes.

9 Q. Hopefully we don't have to ask about them
10 again. Exhibit 3, which is your typed notes,
11 there's yellow highlighting?

12 A. Yes.

13 Q. What does the yellow highlighting signify?

14 A. I hadn't known to bring them, so it's
15 really just to direct my attention. So I guess it's
16 something I just wanted to make clear that I could
17 find again.

18 Q. So now, did anybody ask you to highlight
19 those particular parts of your typed notes?

20 A. No. Nobody actually asked me to take
21 notes.

22 Q. Now, with respect to the depositions of
23 Helen Cohen's treating physicians that were taken in
24 the case, are you relying on those in any way to
25 form your opinions?

0152

1 A. No.
2 (Document marked as Exhibit 31
3 for identification)

4 Q. I've marked as Exhibit 31 a portion of the
5 medical record that came out of Exhibit 6, which is
6 all the medical records you have on Helen Cohen,
7 that includes the August, 2005 ultrasound that you
8 discussed with Mr. Gdanski yesterday; is that right?

9 A. Yes.

10 Q. I promised at the conclusion of the morning
11 session that I would show you the testimony of Dr.
12 Jacobson. Do you see on Page 295 and 296 -- on
13 Exhibit 3 you don't have Volume 2 of Dr. Jacobson's
14 deposition, do you?

15 A. No. I stopped taking notes.
16 Q. Did you read Dr. Jacobson's second volume?
17 A. That was the first one I looked at. If
18 there was any I read, it was the first one.
19 Q. Do you see on Pages 295 and 296 where Dr.
20 Jacobson is asked the question, "Given the
21 information from the tumor markers and the
22 ultrasounds that we've gone over today, which you
23 had not been provided, you cannot say to a
24 reasonable degree of medical probability that Helen
25 Cohen had small cell lung cancer"?
0153

1 A. May I read 295 and 296?
2 Q. Yes. Have you read Pages 295 and 296?
3 A. Just up to 296. So what was your question
4 again?
5 Q. Looking at Pages 295 and 296 of Dr.
6 Jacobson's Volume 2 of his deposition, do you agree
7 that he withdrew his opinion about Helen Cohen
8 having small cell lung cancer?
9 MR. GDANSKI: I object.
10 A. Let me just read. "Given the information
11 from the tumor markers and the ultrasounds, that's
12 296, that we've gone over today which you had not
13 been provided, you cannot say to a reasonable degree
14 of medical probability that Helen Cohen had small
15 cell lung cancer? Object to form. I cannot say
16 that."
17 So I guess I'm not sure how you would
18 interpret that. I'm not sure he's actually
19 retracting it. I'm not sure he's saying she had
20 small cell lung cancer --
21 Q. Let's not quibble. "In your last
22 deposition you talked about how -- Helen Cohen and
23 small cell lung cancer. Given the evidence that
24 you've seen today with the tumor markers and the
25 vaginal -- the pelvic ultrasound, do you want to
0154

1 withdraw that opinion? Answer: I would withdraw
2 that opinion."
3 A. Oh, I'm sorry. Okay, yes.
4 Q. So you know of no treating physician of
5 Helen Cohen that's even speculated that she's had
6 small cell lung cancer at the time of her death?
7 MR. GDANSKI: Object to the form.
8 A. Part one still stands, but he withdrew the
9 opinion. So if somebody says it was probably small
10 cell carcinoma and withdraw the opinion, he's still
11 speculated.
12 Q. Now --
13 A. If you asked me that question, it wouldn't
14 have affected my opinion whatsoever.
15 Q. Do you agree before recommending treatment
16 for a patient that you also want to stage a cancer

17 to see how it has spread?

18 A. Yes.

19 Q. Do you agree that generally CT scans of the
20 chest, the abdomen and the pelvis are done to check
21 for other primary sites, and to see if and how
22 widespread the disease is?

23 MR. GDANSKI: Object to the form.

24 A. Typically somebody with a suspected lung
25 cancer, we'll usually do a CAT scan of the chest and
0155

1 abdomen.

2 Frankly, in our institutions and many
3 institutions, if you order a CT of the chest, it
4 will include the upper abdomen, including the
5 adrenals. We don't necessarily need to do the
6 pelvis, though we often will be doing PET scans as
7 well, which is a whole body scan.

8 Q. That's another test that is typically
9 ordered when a patient is suspected of having cancer
10 is a PET scan, right?

11 A. It's a very useful test to stage the
12 disease.

13 Q. And it's a useful test also to assess
14 whether there might be primary sites in the body and
15 how widespread the metastases is?

16 MR. GDANSKI: Object to the form.

17 A. Well, you've asked two separate questions.
18 I certainly agree with the second part, how
19 widespread the metastases are. In terms of
20 suggesting other primary sites, it depends on the
21 primary site.

22 Q. Do you agree that PET scans can be helpful
23 in determining a primary site?

24 A. It's not their primary use at all. It's
25 approved for patients who have solitary pulmonary
0156

1 nodules to see if it's malignant or not and for
2 staging of other established cancers.

3 I don't believe it's approved -- I may be
4 wrong about this, I have not looked at that -- but I
5 don't believe it's actually approved by any
6 organization for searching for a primary site,
7 particularly because certain primary sites don't
8 tend to pick up -- don't have PET avidity or not.

9 Q. Have you ever used the PET scan for
10 purposes of identifying a primary cancer site?

11 A. No, I don't think I have.

12 Q. Do you agree that the more widespread the
13 disease is that the primary site is more difficult
14 to identify?

15 MR. GDANSKI: Object to the form.

16 A. Not necessarily.

17 Q. Do you agree that more often than not that
18 if the disease is widespread, the primary site is

19 more difficult to assess?

20 MR. GDANSKI: Object to the form.

21 A. I disagree with that. The vast majority of
22 the time we know the primary site, and you're doing
23 it purely for staging purposes, to stage the whole
24 body, with the exception of the brain, where PET is
25 not a good test for evaluating the brain.

0157

1 (Document marked as Exhibit 32
2 for identification)

3 Q. Let me hand you what's been marked as
4 Exhibit 32 to your deposition. Do you see this is a
5 copy of a March 3, 2006 CT scan on Helen Cohen?

6 A. I do see that, yes.

7 Q. Do you see there that the radiologist says
8 that lung cancer is suspected?

9 A. I certainly do.

10 Q. So in terms of the radiographic evidence,
11 you would agree that this radiologist said that lung
12 cancer was suspected, but he did not say that it was
13 confirmed?

14 MR. GDANSKI: Object to the form.

15 A. I don't see that he said lung cancer is
16 suspected. He doesn't -- I don't see the word
17 "confirmed" in here.

18 Q. Do you agree Helen Cohen did not get a
19 workup to assess primary sites of cancer outside the
20 lung?

21 MR. GDANSKI: Object to the form.

22 A. Why in the world would you want to do that
23 to make sure she had an obvious diagnosis.

24 Q. That's not my question. My question is
25 simply she did not get a workup to identify primary

0158

1 sites of cancer outside the lung, did she?

2 MR. GDANSKI: Object to the form.

3 A. She also didn't go through the amusement
4 park. It was not indicated. I don't mean to give
5 you a hard time, but I don't mind giving you a hard
6 time when you ask questions that are irrelevant to
7 the case.

8 Q. Well, you understand one of the issues in
9 the case whether or not she had a primary lung
10 cancer or not, right?

11 A. I understand that that's the main issue
12 from your perspective. It's not an issue at all, as
13 far as I'm concerned.

14 Q. You've seen deposition testimony from Helen
15 Cohen's treaters where they say they could not
16 identify the primary site of her cancer?

17 A. You're going to have to show it to me.

18 Q. You're not aware of that?

19 A. You'll have to show it to me.

20 Q. I'm just asking you, are you aware of it or

21 not?

22 A. We just talked about Dr. Jacobson's
23 deposition.

24 Q. We did. Are you aware of any other doctors
25 that have testified that he or she could not
0159

1 identify the primary site of Helen Cohen's cancer?

2 MR. GDANSKI: Object to the form.

3 A. My depositions are there. I'd be glad to
4 spend the next couple of hours going through every
5 page of it to verify the assumptions of your
6 question. I can't answer that at this point in
7 time.

8 As I said, it's very difficult to read
9 thousands of pages of depositions.

10 Q. Do you agree that it is relevant to an
11 assessment of whether or not Helen Cohen had primary
12 lung cancer is to look for cancer outside of the
13 lung?

14 A. Not if somebody --

15 MR. GDANSKI: Object to the form.

16 A. -- has an obvious cancer.

17 Q. Well, the question, though, is with Helen
18 Cohen, not that she had an obvious cancer. The
19 relevant question is, did that cancer originate in
20 the lung area or in the chest or outside that area
21 and spread to the chest or the lung?

22 MR. GDANSKI: Object to the form. Asked
23 and answered. Are you asking him if that's the
24 relevant question?

25 Q. Do you understand my question?
0160

1 A. No, I don't.

2 Q. Do you agree, doctor, that one of the
3 relevant questions in this case is whether or not
4 Helen Cohen had a primary lung cancer or she had a
5 cancer that started elsewhere and spread to the
6 lung?

7 MR. GDANSKI: Object to the form.

8 A. That's a relevant question from your
9 perspective. That is grandstanding in my opinion.
10 She had metastatic lung cancer. I would say with 99
11 percent certainty, she had cancer, given the fact
12 that there's a one percent chance perhaps that she
13 didn't have cancer, since the biopsy was done, and
14 in my view a 90 percent chance this was primary lung
15 cancer. I didn't say 100 percent. Those are the
16 probabilities I would assert.

17 Certainly one would not, in a woman who is
18 dying from metastatic lung cancer and would be dead
19 seven, eight days after the CT scan, to start doing
20 PET scans to look for a second primary site is
21 totally ridiculous.

22 Q. Doctor, is there any piece of evidence that

23 would change your mind so that you would conclude
24 that Helen Cohen did not have a primary lung cancer,
25 but instead, had a cancer that originated outside
0161

1 the lung and spread to the lung?

2 MR. GDANSKI: Object to the form.

3 A. Not unless I've overlooked major aspects of
4 the case.

5 Q. Tell me what you know about Helen Cohen's
6 family history of cancer?

7 A. I don't know for an absolute fact that it's
8 accurate, but I did take it from medical records.
9 Family history of cancer or family history? Well,
10 let me just read. Mother died of diabetes and
11 heart, presumably heart disease. Father died of
12 stroke. One sister died of stomach cancer. Aunt
13 died of lung cancer. Two cousins died of breast
14 cancer.

15 Q. Do you believe Helen Cohen's family history
16 placed her at an increased risk of primary stomach
17 cancer?

18 A. No.

19 Q. Do you agree that Helen Cohen's family
20 history placed her at increased risk of breast
21 cancer?

22 A. Not necessarily. I would modify my first
23 answer that stomach cancer is also not necessarily.

24 Q. So it might or it might not?

25 A. Right. A lot of people say stomach cancer,
0162

1 because of, you know, do they truly mean gastric
2 cancer or do they mean some abdominal cancer. So I
3 don't have any details.

4 Q. But do you agree that family history of
5 stomach cancer, such as Helen Cohen had, is a risk
6 factor for her to develop stomach cancer?

7 MR. GDANSKI: Object to the form.

8 A. I do not know that. I don't know, you
9 know, whether her sister truly had gastric cancer.
10 I don't know the subtype of the gastric cancer, and
11 it's not necessarily cancer that is very strongly
12 related to any specific genetic risk factor.

13 Q. Is it fair to say you can't rule out that
14 Helen Cohen's family history placed her at an
15 increased risk of stomach cancer?

16 MR. GDANSKI: Object to the form.

17 A. I can't rule anything out.

18 Q. Do you hold that opinion to a reasonable
19 degree of medical probability?

20 MR. GDANSKI: Object to the form.

21 A. Yes.

22 Q. Do you agree that you cannot rule out that
23 Helen Cohen's family history put her at an increased
24 risk of breast cancer?

25 A. The question is what's cousins? Are we
0163

1 talking about a second degree relative, first
2 cousins, are we talking about a third cousin three
3 times removed? So I don't know that.

4 Q. So you can't rule that out; is that fair?

5 A. Of course.

6 Q. Do you hold that opinion to a reasonable
7 degree of medical probability?

8 MR. GDANSKI: Object to the form.

9 A. I can't rule it out. I think it's
10 extraordinarily doubtful.

11 Q. But you hold that opinion to a reasonable
12 degree of medical probability, correct?

13 MR. GDANSKI: Object to the form.

14 A. Sure. Your question about the aunt that
15 died of lung cancer?

16 Q. Yes. So with that history, just like
17 you've called into question about the breast cancer
18 or the stomach cancer, you can't say one way or the
19 other whether that history placed her at increased
20 risk?

21 MR. GDANSKI: Object to the form.

22 A. Again, I don't know which side, I don't
23 know whether it's a great aunt or something else.

24 What I do know, and that's something I know
25 a fair amount about, is that among -- what is the
0164

1 issue of genetic risk in people who are smokers, and
2 people who are smokers, which of course you raise
3 the risk of lung cancer by 20 to 50 or more times, a
4 first-degree relative with lung cancer, if you're a
5 smoker, increases your risk probably about twofold,
6 so that might or might not be relevant. I don't
7 know that.

8 Q. I think I understand what you're saying.
9 Because you don't know the specifics about Helen
10 Cohen's family member or aunt with reported lung
11 cancer, you can't say whether that placed her at an
12 increased risk, true?

13 A. Obviously the thing that placed her at an
14 increased risk was her 100 pack year history of
15 cigarette smoking.

16 Q. How would you go about ruling out a primary
17 ovarian cancer in a patient?

18 MR. GDANSKI: Object to the form.
19 Incomplete hypothetical.

20 A. Why would I want to do that?

21 Q. You have a patient that presents symptoms
22 that may or may not be primary ovarian cancer, and
23 you need to rule it in or rule it out.

24 A. Show me the symptoms that you may or may
25 not have had that would be primary ovarian cancer?

0165

1 Q. I haven't gotten to Helen Cohen, but I
2 will. She comes into you, and she may or may not
3 have primary ovarian cancer, how do you rule it out?

4 MR. GDANSKI: Object to the form.

5 A. I have a lot of expertise in the issue of
6 screening for cancer, including screening for
7 ovarian cancer. I lecture on it all the time. We
8 don't have any effective tools for screening for
9 ovarian cancer.

10 Ultrasound is a particularly unuseful test.
11 There are two large studies, including one very
12 large randomized study, that looked at transvaginal
13 ultrasounds for screening for ovarian cancer. It's
14 called the PLCO study. It stands for prostate,
15 lung, colon, ovary. So it was a National Cancer
16 Institute randomized study of 150 men and women, so
17 half of them were women, and they were randomized to
18 screening for PSA for prostate cancer, chest x-ray
19 for lung cancer, sigmoidoscopy for colon cancer, and
20 transvaginal ultrasound and CA-125 for ovarian
21 cancer.

22 What they found is about, I believe, three
23 and a half percent of the 30,000 women or so had
24 abnormal transvaginal ultrasounds, and the positive
25 predictive value of that for ovarian cancer, meaning
0166

1 the proportion of women who actually had an abnormal
2 transvaginal ultrasound that turned out to be
3 cancer, was less than one percent. I believe it was
4 .97 percent.

5 Another very large study of abdominal
6 ultrasounds --

7 Q. Can I stop you?

8 A. Sure.

9 Q. Can I go back to my question?

10 MR. GDANSKI: He's in the process of
11 answering.

12 MR. DAVIS: I don't think so.

13 MR. GDANSKI: I object to stopping him from
14 answering the question.

15 Q. Let me see if I can ask a more tighter
16 question.

17 A. Sure.

18 Q. What tests would you run or studies would
19 you run to rule out primary ovarian cancer in a
20 patient?

21 MR. GDANSKI: Object to the form.

22 A. Well, I wouldn't be doing any test to rule
23 out primary ovarian cancer, unless the patient
24 presented with symptoms that suggested primary
25 ovarian cancer.

0167

1 Typically abdominal pelvic pain, often with
2 ascites, you know, she had liver metastases,

3 metastases to the substance of the liver as opposed
4 to the surface of the liver, are very uncommon with
5 ovarian cancer.

6 So this is not a presentation that in the
7 least bit suggests itself to be ovarian cancer. So
8 I wouldn't be doing anything. The vast majority of
9 tests you would do, you might wind up with an
10 abnormal test, but the vast, vast majority of those
11 abnormal tests are false positives.

12 Q. You have a patient who presents symptoms of
13 ovarian cancer. Would you do a CT scan of the
14 pelvis to help you rule in or rule out primary
15 ovarian cancer?

16 MR. GDANSKI: Object to the form.

17 A. We probably would. But actually an
18 ultrasound is probably a better test to look for a
19 solid mass, as well as omental metastases.

20 Q. Would you also try to obtain tissue for
21 pathological analysis?

22 A. If you suspect that the patient had ovarian
23 cancer, which there's no reason to -- Mrs. Cohen did
24 not have ovarian cancer. Let me make that very
25 clear. And there was no reason to suspect ovarian

0168

1 cancer. In fact, there's lots of reasons to say
2 that this is clearly not ovarian cancer.

3 But if somebody presents with symptoms of
4 ovarian cancer, it's actually a tricky diagnosis.
5 Often it's made at the time of exploratory
6 laparotomy, because so many of the tests are
7 inconclusive or the alternative is somebody might
8 present with ascites and you'll tap it, and you will
9 prove that isn't primary ovarian cancer.

10 Q. What are the symptoms that are consistent
11 with a primary stomach or GI cancer?

12 A. Well, GI includes esophagus, stomach, small
13 intestine, colon, rectal --

14 Q. How about if I narrow it to say stomach or
15 gastric cancer; does that help out?

16 A. Sure.

17 Q. What symptoms are consistent with a patient
18 who has primary stomach or gastric cancer?

19 MR. GDANSKI: Object to the form.

20 A. Well, usually, unless it's presenting as
21 metastatic disease, which often occurs, gastric
22 cancers have gone down dramatically in that sense in
23 the United States. But typically it's GI symptoms.
24 So it could be, you know, left upper quadrant pain,
25 difficulty swallowing, pain swallowing, those sorts

0169

1 of symptoms.

2 Q. Could it be pain in either side?

3 A. Well, sure. The stomach is sort of in the
4 midline, but it's mostly on the left side.

5 Q. Could it be constipation?

6 A. Other than you're not eating, so there may
7 be a change in bowel habits. Constipation shouldn't
8 be a primary symptom of gastric cancer.

9 Q. What about stomach cancer, if you're
10 differentiating between stomach and gastric?

11 A. Where I said stomach, people often refer to
12 stomach as anything in the abdomen. But gastric,
13 you know, I'm referring specifically to the stomach,
14 the true stomach.

15 Q. What would be symptoms that would be
16 consistent with primary breast cancer?

17 A. Breast cancer, you know, usually does not
18 present -- we do very well with breast cancer.

19 (Phone)

20 Q. The question was, what symptoms are
21 consistent with primary breast cancer?

22 A. Well, the most common presentation are no
23 symptoms, and either a mass in the breast or an
24 abnormal mammogram. When breast cancer spreads, the
25 vast majority of the time it spreads to the bone or

0170

1 the regional lymph nodes.

2 Breast cancer is not a common cause of
3 cancer of unknown primary, with the exception of a
4 woman that presents with an axillary lymph node
5 presumed to be breast cancer from that side, there
6 was no evidence that she had breast cancer.

7 Q. Do you know whether or not any of her
8 doctors did any radiology studies that would have
9 looked for a tumor or an abnormal lymph node in her
10 breast area?

11 A. A tumor -- well, you know, I didn't see a
12 mammogram done that last week or so of her life, and
13 I didn't look at extensive records. So I must
14 admit, I don't know whether she had had mammograms
15 in recent years.

16 A CAT scan, frankly, will often sometimes
17 show axillary adenopathy and a mass in a breast.
18 That's often why you do a CAT scan, you do see that,
19 especially if you did have the CAT scan and you
20 possibly would have dealt with that, but you
21 wouldn't have ordered the CAT scan for that purpose.

22 Q. What are the symptoms that are consistent
23 with the primary colon cancer?

24 A. More and more to a greater extent, people
25 are presenting with they found a polyp, they repeat

0171

1 it, and eventually they find a colon cancer. So
2 we're seeing a lot of patients asymptomatic with
3 colon cancer.

4 The symptoms of colon cancer depends upon
5 where in the colon or rectum it is. On the left
6 side it can be constipation. On the right side it

7 may be no symptoms or some anemia, because you're
8 losing blood.

9 She didn't have clear colon symptoms that
10 I'm aware of from the records that I saw. There's
11 no evidence for colon cancer here. Her CEA was not
12 very elevated. I think it was a tiny bit elevated
13 with colon cancer.

14 Q. For primary ovarian cancer, can you have a
15 patient that presents with no symptoms but does have
16 primary ovarian cancer?

17 MR. GDANSKI: Object to form.

18 A. The answer is yes. But we don't -- we
19 don't screen for it in the woman at average risk.
20 The lifetime risk for a woman of average risk,
21 without a genetic risk factor to develop ovarian
22 cancer, is one in 70 in her lifetime as opposed to
23 one in eight, nine or ten for breast cancer, and we
24 don't screen for it.

25 We actually do screen for it in women who
0172

1 have a mutation that puts you at risk or strong
2 family history. So we don't have any good screening
3 tests for looking for ovarian cancer.

4 And it's not a, you know, I refer to that
5 PLCO study, the reason that the "O" was added -- it
6 was initially the PLC study, because there were
7 other studies, and Pat Schroeder, who was a female
8 senator, said how can we do prostate cancer
9 screening without doing something for women, so they
10 put in ovarian cancer, even though it was never an
11 appropriate disease to screen for, as it is much
12 less common and no good screening test.

13 So they did the test and did the study and
14 they proved there's no good screening test.

15 Q. Can you have primary stomach or gastric
16 cancer in a patient, and that patient present with
17 no symptoms?

18 A. Well, I mentioned that gastric cancer -- in
19 1930 it was the most common cancer in the United
20 States. It's now No. 10, I believe. It is still
21 one of the most common cancers in the Far East where
22 they actually do screen for it. But we don't screen
23 for it unless somebody has got some risk factor,
24 GERD, but we don't screen for it. Usually if you're
25 looking for it, there's some symptom that leads you
0173

1 to look for it.

2 Q. I appreciate that. That's very helpful.
3 But with respect to my question, is it true that you
4 can have a patient with a primary stomach or gastric
5 cancer, and they present with no symptoms?

6 MR. GDANSKI: Object to the form.

7 A. What do they present? What's making you
8 think of it?

9 Q. Let me rephrase it, then. Is it true that
10 a patient can be in a doctor's office, have no
11 symptoms of primary stomach or gastric cancer, yet
12 still be sitting in that office with primary gastric
13 or stomach cancer?

14 MR. GDANSKI: Object to the form.

15 A. Of course. The whole nature of screening
16 is that there is this asymptomatic preclinical
17 phase, which is years long. If you don't look,
18 you're not going to find it. So it's very possible
19 that that patient has gastric cancer, but there is
20 no way you're going to know about it if they don't
21 have symptoms and you're not looking for it.

22 Q. I think you told me a risk factor for
23 primary colon cancer is polyps; did I understand
24 that correctly?

25 A. Absolutely.

0174

1 Q. And you're aware that Helen Cohen had had a
2 surgery to remove polyps, aren't you?

3 A. I believe I read somewhere that she had a
4 history of a colonic polyp. The vast majority of
5 colon cancers arise in polyps. The vast majority of
6 polyps are colon cancers.

7 Q. Do you know what the timeline is? Have you
8 ever gone back and looked and analyzed about whether
9 she was ever asked to follow up on getting another
10 colonoscopy and whether she did it or not?

11 MR. GDANSKI: Object to the form.

12 A. The answer is, I don't specifically know.
13 If somebody has polyps, she should have another
14 colonoscopy probably three years later, something
15 like that. It depends upon who is doing the
16 recommendations and the nature of the polyp.

17 Q. Does the fact that she had those polyps,
18 which were removed, reflect that she was at
19 increased risk for later on having primary colon
20 cancer?

21 MR. GDANSKI: Object to the form.

22 A. So many people have polyps, and having
23 polyps is a -- I guess I don't exactly know the
24 answer. Lots of people don't have colonoscopies to
25 know that. So I don't know that.

0175

1 The risk is small, and the asymptomatic --
2 bottom line is, it takes -- to go from polyps to
3 cancer is probably ten years. To go from polyps to
4 dying from metastatic cancer, it's a lot longer than
5 that.

6 There's no evidence whatsoever that she had
7 gastric cancer, colon cancer, ovarian cancer. I
8 know you didn't ask me that question. I'm just
9 trying to be helpful to you.

10 Q. And you are.

11 A. Thank you.

12 Q. Is it true that Helen Cohen had
13 gastroesophageal reflux disorder, which is a risk
14 factor for stomach or gastric cancer?

15 MR. GDANSKI: Object to the form.

16 A. Yeah. The major risk factors are something
17 called Barrett's esophagus, which is a pathologic
18 abnormality, which is not cancer, but which is
19 precancer, and people with GERD will have that.
20 Those patients may be appropriate candidates for
21 screening. GERD itself I don't think is. Certainly
22 nobody is recommending it.

23 Barrett's esophagus is a risk factor. I
24 don't believe there's any evidence she had Barrett's
25 esophagus.

0176

1 Q. Doctor do you use two markers to help you
2 identify the primary site of a cancer?

3 MR. GDANSKI: Object to the form.

4 A. Mostly not.

5 Q. I'm going to hand you what's been marked as
6 Exhibit 33 to your deposition.

7 (Document marked as Exhibit 33
8 for identification)

9 A. The only possible exception to that would
10 be PSA for prostate cancer. Two-thirds of the
11 patients with elevated PSAs have benign causes.

12 Q. Do you see this is a fact sheet issued by
13 the National Cancer Institute?

14 A. Yes, I do.

15 Q. It's dated 12/7/2001 as when it's reviewed?

16 A. Yes.

17 Q. Now, do you believe that the National
18 Cancer Institute is a reliable institution with
19 respect to cancer issues?

20 MR. GDANSKI: Object to the form.

21 A. I was a fellow at the National Cancer
22 Institute, and I often like to say that I know
23 firsthand how little those people know. No, I'm
24 being facetious. I agree with that.

25 Q. You look at the "Key Points," and it says

0177

1 the second one, "Tumor markers may be used to help
2 diagnose cancer, predict a patient's response to
3 certain cancer therapies, check a patient's response
4 to treatment or determine whether cancer has
5 returned." Do you see that?

6 A. Yes, I do see it.

7 Q. Do you agree with that?

8 A. Not necessarily.

9 Q. Which part do you disagree with and which
10 part do you agree?

11 MR. GDANSKI: Object to the form.

12 A. I think, frankly, diagnosing cancer is

13 actually not very useful. It's usually to confirm a
14 diagnosis once you have it, because of, you know,
15 the very poor positive predictive value.
16 The one we most commonly use for diagnosing
17 it is PSA for prostate cancer. But there's
18 extensive data that two-thirds to three-quarters of
19 patients with an elevated PSA will actually have
20 benign prostatic hypertrophy or benign causes.

21 CA-125, which is a marker that we most
22 strongly associate with ovarian cancer, is commonly
23 elevated in a variety of other things.

24 I actually wrote an article -- there was
25 seminars in Hematology Oncology in 1994, and I wrote
0178

1 the article on tumor markers and lung cancer. It
2 was actually dealing with all sorts of cancers, and
3 I reviewed the literature as it existed at that
4 point in time.

5 CA-125 was elevated about 30 percent of the
6 time, and actually can be a useful tumor marker in
7 following response to therapy, but is useless in
8 making a diagnosis and the PLCO study, the one that
9 had 75,000 women got randomized to transvaginal
10 ultrasound and CA-125 versus no screening, usual
11 care, the positive predictive value for an elevated
12 CA-125 for ovarian cancer was a little higher than
13 the ultrasound, which was less than one percent. I
14 think it was about three percent.

15 Q. What other conditions elevate CA-125?

16 A. A lot of benign conditions, including COPD
17 may have it. But it's elevated in a lot of
18 epithelial cancers, including lung cancer. I often
19 order it in my lung cancer patients, because I think
20 it's actually somewhat useful in assessing a
21 response to chemotherapy, if that's what we're
22 doing. But it's not the least bit useful in
23 predicting, in ruling in or ruling out anything.

24 Q. Now, if you look on Page 3 of this fact
25 sheet, do you see that it discusses CA 15-3?
0179

1 A. Yes.

2 Q. That's a tumor marker that can be elevated
3 in breast cancer?

4 A. Yes.

5 Q. And there's also CA 19-9, right?

6 A. Yes.

7 Q. That's a tumor marker that can be elevated
8 for pancreatic cancer, gallbladder cancer, bile duct
9 cancer and gastric cancer?

10 A. Right. It can also be elevated in other
11 things. Both of these can be elevated in lung
12 cancer.

13 Q. Do you use either CA 15-3 or CA 19-9 to
14 make a diagnosis of primary lung cancer?

15 A. No.
16 Q. Underneath, a little bit later on, there's
17 the CEA --
18 A. Yes.
19 Q. -- tumor marker?
20 A. Yes.
21 Q. That is elevated in colorectal cancer and
22 breast cancer, true?
23 A. But it's elevated in lots of other things.
24 Q. True, but it is --
25 A. True. It is elevated in lots of other
0180
1 things, including a lot of benign conditions.
2 Q. What tumor markers did Helen Cohen have
3 that were elevated?
4 MR. GDANSKI: Object to the form.
5 A. I didn't look through every record, but the
6 two I commented on were the CEA and the CA-125.
7 Q. Those are the two that you spotted?
8 A. Yes.
9 (Document marked as Exhibit 34
10 for identification)
11 Q. Let me hand you what's been marked as
12 Exhibit 34 to your deposition. Do you see this is
13 the test results for Helen Cohen's tumor marker
14 analysis?
15 A. I see the CEA here --
16 Q. I think the last page goes into the other
17 ones.
18 A. Okay. So the CEA was 88.2, which is
19 abnormal. Normal is three. So the CA-125 was 115.
20 Usually the upper limit of normal is 35. It looks
21 like they are saying here the upper limit of normal
22 is 21.
23 Q. Would you consider that to be a very high
24 result?
25 A. Absolutely not.
0181
1 MR. GDANSKI: Form.
2 A. Mildly elevated.
3 Q. Mildly elevated?
4 A. Mild to moderate, but it's certainly not
5 very high.
6 Q. Do you see that it's also elevated for CA
7 15-3?
8 A. Yes. Is that 164 or 1,640? I'm not sure.
9 I guess it's 1,640.
10 Q. I think it's 39.
11 A. Oh, yes, I'm sorry. Totally misread that.
12 So it's mildly elevated. The CA 19-9, which is the
13 marker we most strongly associate with pancreatic
14 cancer or gastric cancer, is normal.
15 Q. And CEA tumor marker was elevated at 88.2?
16 A. Yes.

17 Q. Where would you rank that; mild, moderate
18 or high?

19 A. Certainly not very high. Normal is three.
20 Probably moderate. It's not very high. It's not of
21 any diagnostic significance, given that we know that
22 she had lung cancer with massive liver metastases.

23 Q. If you had a patient that had these tumor
24 markers elevated, and you knew nothing else about
25 the patient, what primary cancers would you suspect?

0182

1 MR. GDANSKI: Object to the form.

2 A. I wouldn't be thinking about the patient
3 unless I knew something else about the patient.

4 Q. Okay.

5 A. I don't believe in our board exams they
6 would have asked that question, because these
7 markers are not useful diagnostics.

8 Q. If you had a patient who had test results
9 of these elevated tumor markers, like Helen Cohen's,
10 that came back, and also there was a CT scan that
11 suspected primary lung cancer, what would you do to
12 rule out the other primary cancers that are put in
13 play because of these tumor markers?

14 MR. GDANSKI: Object to the form.

15 A. I wouldn't do anything. It doesn't affect
16 the diagnosis whatsoever. It sort of confirms that
17 she's got lung cancer, you know, particularly the
18 extensive liver metastases.

19 Q. What would you do to rule out in that
20 patient whether they have a primary ovarian cancer,
21 a primary stomach cancer, primary breast cancer, or
22 a primary colon cancer?

23 MR. GDANSKI: Object to the form. Asked
24 and answered.

25 A. The best way to rule it out would be to do

0183

1 an autopsy. I'm being facetious here. Short of
2 that, you're not going to rule it out.

3 It doesn't come up as a realistic
4 consideration, given the fact that there was no
5 evidence for gastric cancer and there's no evidence
6 for ovarian cancer, and all the tests that we have,
7 you know, if they are abnormal, are much more likely
8 to be false positives than true positives.

9 Do you know how you rule something out? I
10 guess I'm not supposed to ask the questions.

11 MR. GDANSKI: Just let him ask the
12 questions. Don't be facetious, because it doesn't
13 reflect on the record.

14 Q. Doctor, other than the August, 2005
15 ultrasound on Helen Cohen that you discussed
16 yesterday with Mr. Gdanski, did you review any other
17 ultrasounds, pelvic ultrasounds, on Helen Cohen
18 before arriving at your opinions?

19 MR. GDANSKI: Object to the form.
20 A. I didn't review that ultrasound. I just
21 looked at the report.
22 Q. Let me fix my question, then. Before
23 arriving at your opinions in the case, did you
24 review the pelvic ultrasound reports on Helen Cohen,
25 other than the one that Mr. Gdanski showed you
0184
1 yesterday, because I think you told us you had seen
2 that after you formed your opinion?
3 A. I believe I saw one that was sometime in
4 the 1990s. I don't remember when.
5 Q. Do you know whether there are any other
6 pelvic ultrasounds on Helen Cohen between 1990 and
7 the August, 2005?
8 A. I didn't say 1990. I said 1990s. So I
9 don't know when that one -- the answer is, I do not
10 know.
11 (Document marked as Exhibit 35
12 for identification)
13 Q. I'm handing you Exhibit 35. Do you see
14 that's a pelvic ultrasound on Helen Cohen dated July
15 23, 1997?
16 A. Yes.
17 Q. Did you review that pelvic ultrasound
18 before forming your opinions in this case?
19 A. I don't know whether this is the one that I
20 had seen. I don't remember for sure. I thought it
21 was earlier, but I don't know.
22 Q. Do you want to look through your materials?
23 A. Let me just read this. Do you want me to
24 look through this?
25 Q. It's up to you. Let me just ask you. Do
0185
1 you recall seeing what's been marked as Exhibit 35
2 before you formed your opinions in the case?
3 A. I remember seeing one other ultrasound
4 report -- it may be this one -- which was found
5 abnormal.
6 Q. Do you want to look through it?
7 A. If you would like me to.
8 Q. It's up to you.
9 A. It's not going to -- it's not going to
10 affect my opinions.
11 Q. All right. Then I'll just keep going,
12 then.
13 A. We have a normal pelvic ultrasound from
14 1997.
15 Q. Her ovaries demonstrate a normal size and
16 echogenic pattern, with the left at 1.2 by .8 by 1.1
17 centimeters, and the right 1.4 by 1.8 by 1.0
18 centimeters, right?
19 A. Yes.
20 Q. You've got no reason to question the

21 accuracy of this report, do you?

22 A. No.

23 Q. Let me hand you what's going to be marked
24 as Exhibit 36, and ask you if you've seen that
25 document before forming your opinions in the case.

0186

1 (Document marked as Exhibit 36
2 for identification)

3 A. Not that I recall. Again, I've seen one.
4 I thought it was earlier. I don't think I've seen
5 this.

6 Q. Now, this one is dated September 15, 1998?

7 A. Yes, it is.

8 Q. It's on Helen Cohen again?

9 A. Yes, it is.

10 Q. And this one, they did a pelvic sonogram,
11 but neither ovary could be identified, right?

12 A. That's what it says.

13 Q. Let me hand you what we've marked as
14 Exhibit 31 to your deposition, which contained the
15 August 22, 2005 ultrasound on Helen Cohen.

16 A. Yes.

17 Q. This transvaginal ultrasound says that the
18 right ovary measures 3.6 by 2.5 by 3.5 centimeters
19 with multiple shadowing hyperechoic foci consistent
20 with calcifications, true?

21 A. Yes, that's what it says.

22 Q. It also says the left ovary measures 4.1 by
23 2.7 by 4.0 centimeters, also demonstrating multiple
24 high-density shadowing lesions consistent with
25 calcifications?

0187

1 A. Yes.

2 Q. The impression is "Bilaterally enlarged
3 ovaries with shadowing foci suspicious for
4 calcifications, Ob/Gyn consultation is recommended,"
5 true?

6 A. Yes.

7 Q. Do you have any reason to doubt the
8 accuracy of this ultrasound?

9 A. Absolutely not.

10 Q. Now, if you had a patient that -- let me
11 back up. Can we agree that looking at the July 23,
12 1997 ultrasound and the August 22, 2005 ultrasound,
13 do you agree that there are suspicious masses in
14 Helen Cohen's ovaries?

15 MR. GDANSKI: Object to the form.

16 A. I don't have an independent opinion. I
17 don't see the word suspicious masses. I see she had
18 bilaterally enlarged ovaries, which suggested some
19 calcifications.

20 Q. Are you saying that those are not
21 suspicious for some type of malignant process going
22 on?

23 A. I think an overwhelming probability is
24 that, as I already mentioned to you, you take 30,000
25 women and do a transvaginal ultrasound, about three
0188
1 percent of them are going to have abnormal
2 ultrasounds, and less than one percent of those are
3 going to be ovarian cancer. So it's not a useful
4 test for screening for ovarian cancer.
5 I'm sure if it were my patient, I would
6 probably consult a gynecologist.
7 Q. If she were your patient, would you tell
8 her after getting back this ultrasound that shows
9 that her ovaries are both enlarged, would you tell
10 her to go home, don't worry about it?
11 MR. GDANSKI: Object to the form.
12 A. No, I didn't say that.
13 Q. What would you tell her?
14 A. I would say that the -- I would say that --
15 it's very unlikely that I would be ordering a
16 transvaginal ultrasound, unless there was some
17 reason that I'm suspicious of something in the
18 pelvis. But that's not the question you've asked.
19 I would say that the ovaries are enlarged.
20 The overwhelming probability is that she's got some
21 benign process, and if we wanted to explore it
22 further, I would refer her to a gynecologist, not an
23 obstetrician, for his or her evaluation of the
24 patient.
25 Q. And if Helen Cohen presented on that day in
0189
1 your office with these two results, you wouldn't
2 tell her, we can say you don't have primary ovarian
3 cancer based on these test results, would you?
4 MR. GDANSKI: Object to the form.
5 A. I can't say she doesn't have primary
6 anything based upon this. The overwhelming
7 probability is that she probably had some -- benign
8 processes of the ovary are extraordinarily common,
9 and ovarian cancer is a relatively rare cancer.
10 Q. Are ovaries supposed to be getting larger
11 in an 81-year-old postmenopausal patient like Helen
12 Cohen?
13 A. I'm not an expert, so I don't know the
14 answer to that question. But I think normally I
15 wouldn't think so, but the vast majority of the time
16 if they do, it's going to be a false positive.
17 That's why you wouldn't do this test unless
18 there's some clinical indication, because the
19 overwhelming probability is that this is a false
20 positive if a true positive is defined as cancer and
21 a false positive is defined as something else.
22 Q. It's not a question of whether you would do
23 the test results, it's a question of we have the
24 test results, right, and the question is, what do

25 you tell Helen Cohen; do you tell her you need to
0190

1 have further follow-up to rule out that there is the
2 a malignancy that is starting in your ovaries?

3 MR. GDANSKI: Object to the form.

4 A. That's the last thing I would tell her. I
5 would cite the data and the limitations of the data
6 is that, you know, if you decide to undergo
7 screening, and frankly, this was in patients who
8 were 55 to 75, but I wouldn't recommend doing the
9 test unless there was some clinical indication.

10 If for some reason the test was ordered by
11 somebody else, and they asked me about it, I would
12 cite the results that, you know, the probability
13 that she's got cancer based upon this is less than
14 one percent, the probability she has ovarian cancer
15 based on this is less than one percent.

16 Q. Would you agree that you would recommend to
17 Helen Cohen that she needed further follow-up and
18 steps to rule out that there was some primary cancer
19 that was occurring in her ovaries?

20 A. Since I wouldn't have been the one who
21 ordered the test, but I'm very interested in
22 screening, so I would point out the limitations and
23 why I wouldn't have done the test unless there was a
24 clinical indication, I would point out to her it's
25 probably benign, there's a 99 percent chance that

0191

1 she doesn't have ovarian cancer, but if she was
2 concerned and wanted to get it further evaluated,
3 I'm not the person who is going to do it, so she
4 should see a gynecologist.

5 Q. Do you consider yourself an expert in
6 ovarian cancers?

7 MR. GDANSKI: Object to the form.

8 A. I'm knowledgeable in ovarian cancer.

9 Q. I'm talking about do you consider yourself
10 as someone who is a go-to person for assessing
11 primary ovarian cancer?

12 MR. GDANSKI: Object to the form.

13 A. Gynecologic oncology is a separate
14 specialty, which are obstetricians and gynecologists
15 who do surgery, but they also do chemotherapy. It's
16 one of the two.

17 So ovarian cancer is in our hospital, and
18 patients with known ovarian cancer, would be
19 referred to a gyn oncologist rather than a medical
20 oncologist. That wasn't always the case, and I've
21 certainly treated a lot of ovarian cancer early in
22 my career.

23 Q. You do not hold yourself out as an expert
24 in gynecological cancers, do you? That's not your
25 specialty, is it?

0192

1 MR. GDANSKI: Object to the form.

2 A. I'm a medical oncologist. I've passed my
3 boards. There's a lot of questions on ovarian
4 cancer and I've dealt with it throughout my career.
5 It's not been a research focus of mine, although I'm
6 interested in screening or ovarian cancer. I gave a
7 lecture on ovarian cancer two months ago, which I do
8 on a regular basis, to point out the limitations of
9 screening.

10 Q. On this patient, Helen Cohen, if you saw
11 these results with Helen Cohen, she's in your
12 office, you would tell her you need to go see a
13 specialist in gynecological cancers, because that's
14 their area of specialty and not mine, true?

15 MR. GDANSKI: Object to the form.

16 A. Not true. The reason she would be in my
17 office is because she was concerned or suspected of
18 having cancer. If, in fact, this test was done for
19 some reason, and she didn't have extensive liver
20 metastases or a big mass in her lung strongly
21 suggestive of lung cancer, I would certainly suggest
22 that she see a gynecologist or even a gynecologic
23 oncologist; probably a gynecologist. That's what
24 they're recommending.

25 But if this were a patient of mine who
0193

1 actually had extensive lung cancer with liver
2 metastases, the last thing I would do would be to
3 have her see a gynecologist. It would be a useless
4 test.

5 Q. As of August 22, 2005, you know of no
6 medical record or radiographic image that showed any
7 type of mass or abnormality in Helen Cohen's chest,
8 true?

9 MR. GDANSKI: Object to the form. Can you
10 repeat the question?

11 A. Yeah, I'd like you to repeat the question.

12 (Reporter read back pending question)

13 MR. GDANSKI: Object to the form.

14 A. Yes.

15 Q. So the situation you're talking about where
16 someone is in your office and has something that may
17 be suspicious in their chest, that's not what's
18 happening to Helen Cohen in August 22, 2005, right?

19 A. Somebody with no suspicion of cancer, an
20 abnormal pelvic ultrasound showing enlarged ovaries
21 without a mass, would not be in my office.

22 Q. Because that's not the focus of your
23 treatment in oncology, right?

24 MR. GDANSKI: Object to the form.

25 A. It's probably not -- there's no -- there's

0194

1 very little reason to suspect cancer in this
2 circumstance.

3 Q. Well, what would be -- if you got these
4 test results for Helen Cohen, she's 81 years old,
5 she's postmenopausal, an ultrasound shows that she
6 has enlarged ovaries on both sides, what would be in
7 your differential diagnosis?

8 A. The question is why is she in my office in
9 the first place? She wouldn't be in my office for
10 this reason.

11 Q. Is there some reason you don't want to
12 answer that question?

13 MR. GDANSKI: What is that question?

14 A. You're not making yourself clear.

15 Q. You're the expert, and I'm asking you about
16 your opinions in this case. Helen Cohen's test
17 results come back to you on this date, on April 22,
18 2005. You know about the prior pelvic ultrasound
19 that shows the size of both of her ovaries. You now
20 see that both of her ovaries are enlarged, as shown
21 on this ultrasound of August 20, 2005. She's 81
22 years old. She's postmenopausal. What is in your
23 differential diagnosis?

24 MR. GDANSKI: Object to the form.

25 A. If I were a primary care physician, and

0195

1 somebody who is expert in screening, and I think I'm
2 expert in screening in cancer, I would discourage
3 screening for ovarian cancer, unless it's a patient
4 with genetic breast cancer or a strong family
5 history, in which case we do it, without much
6 success, because we don't have any effective tools.

7 But if I were a primary care doctor and I
8 saw this report, I would do what the -- and I didn't
9 even know, you know, what the probability of ovarian
10 cancer is, which I do based upon this very large
11 randomized study, but if I didn't know that, I would
12 send her to a gynecologist.

13 Q. And what would be in your differential
14 diagnosis?

15 MR. GDANSKI: Object to the form.

16 A. I think the large majority is that it's
17 going to be some sort of benign ovarian neoplasm or
18 a cyst or solid cyst. But ovarian cancer certainly
19 is among the possibilities, but they're not reading
20 an ovarian mass. They are just reading large
21 ovaries.

22 Q. You don't read this as suggesting that
23 there are masses in both of Helen Cohen's ovaries?

24 A. Educate me. Show me where the word
25 "masses" is?

0196

1 Q. You're relying on that alone to say they
2 are not masses in Helen Cohen's ovaries?

3 A. If there were masses in the ovaries, why
4 wouldn't they say there are masses in the ovaries.

5 Q. So your opinion is if a radiographic report
6 doesn't say the word "mass" in it, you can't say
7 that there's an actual mass seen?

8 A. Frankly, if there was a mass, I would
9 expect the ultrasonographer to say there's a mass or
10 a suspicious mass. They are not saying this. They
11 are saying bilaterally enlarged ovaries with
12 calcifications. The word "mass" is not in here.

13 Q. Let me ask you a different question. Did
14 you ever see any reference in Helen Cohen's medical
15 records that reference --

16 (Phone)

17 (Recess)

18 BY MR. DAVIS:

19 Q. Doctor, is it your testimony that if any
20 radiology report does not say the word "masses,"
21 that you cannot opine or conclude that a mass
22 actually exists?

23 MR. GDANSKI: Object to the form.

24 A. If the radiologist doesn't say there's a
25 mass, I can't conclude there's a mass?

0197

1 Q. Yes.

2 MR. GDANSKI: Object to form.

3 A. Yes, I guess I would say that, if I
4 understand the question correctly. They didn't say
5 mass. They just said enlarged ovaries.

6 Q. You'd read that in an 81-year-old female
7 patient like Helen Cohen who was postmenopausal,
8 that her ovaries should be atrophied?

9 A. I believe the answer to that question --
10 now I graduated medical school 40 years ago. I
11 believe that that's the case, but I've not studied
12 that.

13 Q. By "atrophied," in other words, they are
14 shrinking and not getting larger, right?

15 A. Her ovaries are abnormal. The question is
16 whether there's any reasonable suspicion this is
17 cancer, and you can't rule it out based upon this.
18 There's nothing here that would suggest cancer, and
19 as I said, this large study indicates 99 percent of
20 the time it's not cancer.

21 Q. The study that you keep talking about is a
22 screening study, correct?

23 A. Correct.

24 Q. It's a study when a patient presents with
25 no symptoms, true?

0198

1 A. Absolutely.

2 Q. Helen Cohen presented with symptoms, didn't
3 she?

4 MR. GDANSKI: Object to the form.

5 A. Of course. She had symptoms of metastatic
6 lung cancer.

7 Q. No, sir. At the time Helen Cohen had this
8 transvaginal ultrasound in August of 2005, she
9 presented with symptoms, didn't she?

10 A. Actually, you know, frankly, I don't know
11 the answer to that question. I don't think -- I
12 know she had the ultrasound. I don't know who
13 ordered it. I don't know why they ordered it.

14 So, let's see, the physician was Michele
15 Cohen -- 81-year-old woman with right upper quadrant
16 pain. Okay. Let me see if there's anything else in
17 here. You'll need to show me those records.

18 I don't believe I've reviewed them. I
19 don't know why that was done, other than that one
20 statement.

21 Q. Are you referring for the first time here
22 today at your deposition about the symptoms that
23 Helen Cohen presented with around the time period
24 that she had the August 22, 2005 ultrasound?

25 A. I would hope to learn what those symptoms
0199

1 are. I haven't learned it, other than this one
2 sentence in the ultrasound report.

3 MR. GDANSKI: Object to the form.

4 Q. You formed your opinions in this case
5 without knowing what those symptoms are, true?

6 MR. GDANSKI: Object to the form.

7 A. You'll need to -- I would love to see why
8 this was ordered and what the discussion was by Dr.
9 Cohen after it was ordered.

10 Q. You did not investigate that issue before
11 forming your opinions?

12 A. Certainly not, no.

13 Q. You do not know the symptoms she presented
14 with and the reason why she had this transvaginal
15 ultrasound in August of 2005 before forming your
16 opinions, did you?

17 MR. GDANSKI: Object to the form.

18 A. No. Though I presume that since there was
19 no masses indicated in this, it doesn't suggest
20 ovarian cancer, Dr. Cohen probably concluded there's
21 no evidence for ovarian cancer, and decided not to
22 pursue that, but I don't know the answer to that
23 question. I would be very thrilled to look at that.

24 Q. Anything that you said about Dr. Cohen,
25 what she did or did not, is pure speculation, is it
0200

1 not?

2 A. Absolutely.

3 Q. You don't have any evidence of it, do you?

4 A. That's correct.

5 Q. Now, what does the scientific data show for
6 a woman that presents with symptoms and has
7 bilateral ovarian abnormalities or masses, is
8 postmenopausal, 81 years old, has a tumor marker of

9 for CA-125 that's elevated, there's no mass in the
10 lungs --

11 A. Do we know what the CA-125 was at that
12 point in time?

13 Q. We know what it was in --

14 A. When she died?

15 Q. Yes. Let me get to that. What does the
16 data show for women who present with symptoms and
17 have bilateral ovarian masses and/or abnormalities
18 that are postmenopausal, 81 years old, they have an
19 elevated tumor marker of CA-125, there's no mass in
20 the lungs, there's no masses in the liver at the
21 time, what would be in your differential diagnosis
22 as an oncologist?

23 MR. GDANSKI: Object to the form.

24 A. It sounds like you asked me two questions,
25 so I would need to hear that question again.

0201

1 Q. For a woman who presents with symptoms and
2 has either a bilateral ovarian masses --

3 A. What are the symptoms?

4 Q. Let me finish. For a woman who presents
5 with symptoms and has bilateral ovarian masses or
6 abnormalities, is postmenopausal, is 81 years old,
7 has elevated CA-125 tumor marker, there's no mass in
8 the lungs, there's no masses in the liver, what
9 would be in your differential diagnosis for that
10 patient?

11 MR. GDANSKI: Object to the form.

12 A. What are the symptoms? You didn't tell me.

13 Q. How about the one that you know about?

14 A. Right upper quadrant pain is not
15 particularly a symptom of ovarian cancer, increasing
16 of abdominal girth maybe due to ascites. Again, I
17 would be seeing this patient. I wouldn't have
18 ordered the pelvic ultrasound. But I guess I'm very
19 curious as to what Dr. Cohen's interpretation was at
20 the time.

21 I think there's an overwhelming probability
22 from this that she didn't have ovarian cancer, she
23 did have enlarged ovaries. There's no masses unless
24 the radiologist misread it and failed to identify a
25 mass, and, you know, the data is overwhelming that

0202

1 she didn't have ovarian cancer.

2 Q. Can we go back to my question. What would
3 be in your differential diagnosis for the patient
4 that I described?

5 MR. GDANSKI: Object to the form.

6 A. What are the symptoms?

7 Q. She has a symptom of primary ovarian
8 cancer. Pick anyone.

9 MR. GDANSKI: Object to the form. I object
10 to this question.

11 A. I'm supposed to pick a symptom?
12 Q. Let me try again. You can't answer that
13 question, doctor?
14 A. You've not asked -- I apologize, but you've
15 not asked a clear question.
16 Q. Let's have a patient who has pelvic pain.
17 A. All right.
18 Q. Who has got bilateral ovarian masses or
19 abnormalities, postmenopausal, 81 years old, has an
20 elevated tumor marker CA-125, no masses in the lung,
21 no masses in the liver at the time, what would be in
22 your differential diagnosis as an oncologist?

23 MR. GDANSKI: Object to the form.

24 A. She probably had -- again, we don't know
25 what her CA-125 was at this point in time. We do
0203

1 know that at the time that she was dying of
2 metastatic lung cancer, she had a moderately
3 elevated CA-125.

4 My suspicion is that it was not elevated at
5 this point in time, but I'm speculating, as are you.
6 If she had pelvic pain, I would think she needed to
7 see a gynecologist. There was probably some ovarian
8 process that's causing some discomfort, ovarian
9 cancer, among them.

10 In that same study where it indicated that
11 the positive predictive value of having -- screening
12 study, the positive predictive value of abnormal
13 vaginal ultrasound, was less than one percent,
14 meaning less than one percent of patients who had
15 had cancer, and about three percent who had an
16 elevated 125 had cancer, if you had both it was
17 actually higher, it was 23 percent, but that
18 wouldn't apply here, because this was when she had
19 obvious metastatic lung cancer. So that doesn't
20 apply here.

21 But if she had -- if I knew for a fact that
22 she had a normal chest x-ray, no evidence of any
23 cancer in the ovary, and had an elevated CA-125 and
24 an abnormal -- though not a mass -- I would try to
25 reassure her that she probably didn't have any
0204

1 cancer, but I would certainly want her to see a
2 gynecologist, and I'd probably send her to a gyn
3 oncologist as opposed to a gynecologist. Again, not
4 relevant to this case.

5 Q. Would you agree that was a very long-winded
6 answer?

7 A. I would definitely agree it was a
8 long-winded answer. It was a long-winded question,
9 though.

10 Q. Is the short answer to my question that
11 primary ovarian cancer would be in your differential
12 diagnosis for the patient I described?

13 MR. GDANSKI: Object to the form.

14 A. I want to make sure I'm answering the
15 question in context. If the patient had bilateral
16 enlarged ovaries, had an elevated CA-125 that we had
17 no evidence for at the time that she had this, and
18 had no evidence of anything in the chest or the
19 liver to indicate metastatic cancer, then ovarian
20 cancer would be in my differential diagnosis, but it
21 would still be much more likely that she didn't have
22 ovarian cancer, but it would be higher than if she
23 just had either test at all.

24 Q. In order to rule out that she did not have
25 primary -- that this patient did not have primary

0205

1 ovarian cancer, further tests and studies would need
2 to be done, true?

3 MR. GDANSKI: Object to the form. This
4 patient, are you talking about in your hypothetical
5 or Mrs. Cohen?

6 MR. DAVIS: This patient that we're talking
7 about.

8 MR. GDANSKI: Which one is that?

9 Q. Do you understand my question, doctor?

10 MR. GDANSKI: Object to the form.

11 A. You're talking about Mrs. Cohen or are you
12 talking about this hypothetical patient who had no
13 relationship to Mrs. Cohen?

14 Q. I'm talking about the hypothetical patient
15 who had postmenopausal and bilateral ovarian masses
16 or abnormalities, 81 years old, CA-125, no mass in
17 the lungs, no mass in the liver.

18 MR. GDANSKI: What about the CA-125? I
19 object. All you said was CA-125.

20 Q. Let me ask it again. It seems Mr. Gdanski
21 needs some help.

22 MR. GDANSKI: Maybe you need to read the
23 handwriting on your paper better.

24 MR. DAVIS: Do you want to help him out any
25 more?

0206

1 MR. GDANSKI: Keep going.

2 MR. DAVIS: Thanks.

3 Q. Doctor, I'm talking about the patient who
4 presents with either bilateral ovarian masses or
5 abnormalities, postmenopausal, 81 years old, has an
6 elevated CA-125 tumor marker, there's no mass in the
7 lungs, there's no mass in the liver. Do you agree
8 that you would have to do further tests and analysis
9 to rule out primary ovarian cancer in that patient?

10 MR. GDANSKI: Object to the form.

11 A. If you wanted to rule it out. But if she
12 was having pelvic pain, you would probably want to
13 deal with whatever benign cause. So what you would
14 need would be a laparotomy. She would need to have

15 her ovaries removed.

16 Q. Do you agree for this patient --

17 A. I agree for that hypothetical patient, who
18 has no relationship to Mrs. Cohen.

19 Q. Do you agree with this hypothetical patient
20 that you would need to do a pelvic CT scan to see
21 whether or not there was any presence of primary
22 ovarian cancer and do a tissue biopsy if it weren't
23 done?

24 A. What I'm told by my radiology is when you
25 really suspect the ovary, the ovary is sometimes
0207

1 difficult to see on CT scan, and ultrasound is
2 better at looking at the ovaries or an MRI of the
3 ovaries.

4 So in that hypothetical patient with
5 bilateral enlarged ovaries without masses and an
6 elevated CA-125, which, again, we don't know in Mrs.
7 Cohen at that time, and probably was not elevated at
8 that time, she would definitely need further workup.

9 And I believe the further workup would be
10 discussed that you're 81 years old at this point in
11 time. Do you want to remove the ovaries. Probably
12 they would find benign findings, but it would
13 probably relieve her pelvic symptoms.

14 Q. If they were removed, they would submit
15 them to pathology and see whether or not there was
16 any malignancy that started in the ovary, true?

17 A. If there was any cancer in the ovary. The
18 only way you can rule something out is you need
19 something that's 100 percent sensitive. So removing
20 the entire ovaries is 100 percent sensitive and
21 you're negative. So that's the way you would rule
22 it out. My suspicion is it would have shown cysts,
23 which is likely what she had.

24 Q. That's speculation on your part, isn't it?

25 MR. GDANSKI: Object to the form.
0208

1 A. Yes, of course.

2 Q. Another option for this patient instead of
3 removing the ovaries would be to do a tissue biopsy,
4 draw tissue out, put it on a microscope and see?

5 A. If she's having pelvic pain, symptoms that
6 were felt to be related to the ovaries, of course a
7 needle biopsy is probably benign, it's probably not
8 cancer, and a needle biopsy would be useless, unless
9 it did show cancer, which it almost certainly
10 wouldn't, because there's no evidence that she ever
11 had ovarian cancer.

12 It also wouldn't be addressing her
13 symptoms, which is the major reason you're doing a
14 procedure in the first place.

15 Q. Do you agree, then, with a patient who has
16 pelvic pain, has bilateral ovarian masses or

17 abnormalities, postmenopausal, 81 years old, there's
18 no mass in the lungs, there's no evidence of mass in
19 the liver, that your differential diagnosis would
20 include in that patient primary ovarian cancer?

21 MR. GDANSKI: Object to the form.

22 A. Of course it would include it in the
23 differential diagnosis, but it would be way down.
24 It would be much more likely to be some other
25 non-cancerous ovarian pathology.

0209

1 Q. Slightly different hypothetical. Slightly
2 different scenario. You have a patient who presents
3 with pelvic pain and has bilateral ovarian masses or
4 abnormalities, is postmenopausal, is 81 years old,
5 and there's no mass in the lungs, do you agree,
6 based upon the information, if you had that
7 information, that primary ovarian cancer would be in
8 your differential diagnosis?

9 MR. GDANSKI: Object to the form.

10 A. The only difference in the question is, is
11 it between enlarged ovaries and now masses in the
12 ovaries?

13 Q. No. I will rephrase it. You have a
14 patient who presents with pelvic pain who has
15 bilateral ovarian masses or abnormalities,
16 postmenopausal, 81 years old, and has no mass in the
17 lungs, do you agree that for that patient, primary
18 ovarian cancer would be in your differential
19 diagnosis?

20 MR. GDANSKI: Object to the form.

21 A. I don't see the difference between the
22 question I just answered and this different
23 question. I thought that was exactly the same
24 question you asked before.

25 Q. Again, I don't -- bear with me, because I

0210

1 don't believe you've answered this. This is a
2 slightly different question. I've taken out no mass
3 in the liver. That's an additional one I've taken
4 out.

5 A. Okay.

6 Q. So let me ask it again. You have a patient
7 who has pelvic pain, presents with bilateral ovarian
8 masses or abnormalities, is postmenopausal, is 81
9 years old, there's no mass in the lung. Do you
10 agree that primary ovarian cancer would be in your
11 differential diagnosis?

12 MR. GDANSKI: Object to the form.

13 A. Yes. It's the same as before. It would be
14 low down, but it would be in the differential
15 diagnosis. What the ultrasound might show, if it
16 was ovarian cancer, some other evidence of omental
17 metastases, but all having no relevance to this
18 case.

19 Q. For each of these patients we have been
20 describing the circumstances for, you would refer
21 those patients out to a gynecological oncologist,
22 true?

23 MR. GDANSKI: Form.

24 A. They wouldn't be seeing me in the first
25 place. So it wouldn't be my place to do that or
0211

1 not.

2 Q. But if they came in with these results, and
3 you got them, you would send those patients out to a
4 gynecological oncologist, true?

5 A. A patient without cancer, with nothing in
6 the lung, liver, and bilateral ovarian
7 abnormalities, with an elevated CA-125 and pelvic
8 pain, I would definitely send her to a gynecologist
9 or a gynecologic oncologist.

10 Q. So you would not be the person to answer
11 the question about what was going on in the
12 particular patient?

13 MR. GDANSKI: Object to the form.

14 A. I don't do surgery on anybody. This
15 patient probably needs laparoscopic surgery to find
16 out what's going on in that hypothetical situation
17 which bears no resemblance to what was actually
18 going on.

19 Q. Why are you distinguishing between whether
20 Helen Cohen's situation is bilateral masses or
21 bilateral abnormalities?

22 A. Because there's no masses. Usually ovarian
23 cancer presents with masses in one ovary, sometimes
24 both ovaries.

25 Q. Do you agree that the size of the bilateral
0212

1 abnormalities seen in the ultrasound for Helen
2 Cohen, dated August 22, 2005, size wise is
3 consistent with a mass?

4 MR. GDANSKI: Object to the form.

5 A. No. I mean, if you would have asked me two
6 days ago what is a normal-sized ovary, I wouldn't be
7 able to answer it, but I did look it up. Usually
8 ovaries are less than three centimeters. So these
9 are large ovaries somewhat, but there's no mass. If
10 there was a mass, they would have said a mass.

11 Q. When you looked at that data, did you do it
12 for the purpose of this deposition?

13 A. Yes.

14 Q. What article did you look at?

15 A. I looked at Google.

16 Q. What article?

17 A. Google Scholar.

18 Q. What article in Google Scholar?

19 A. I could Google it now if I had a computer.
20 I was looking for normal ovarian size. It's not

21 something that I keep in the back of my head.

22 Q. In what age patient did you look at?

23 A. I tried to find that, and I couldn't find

24 it. I didn't spend -- I'm sure if I were absolutely

25 -- I'm not arguing with you. I'm not an expert in

0213

1 what is the normal sized ovary in an 81-year-old or

2 an 80-year-old. It sounds like she had bilaterally

3 enlarged ovaries. That's the way the ultrasound was

4 read, and I'm not quibbling with that.

5 I'm quibbling with your conclusion that she

6 had ovarian cancer, of which there's no evidence,

7 and the overwhelming probability is she did not.

8 Q. Helen Cohen presents you with these

9 findings as shown in Exhibit 36, in Exhibit 31

10 concerning her ultrasound results and her enlarged

11 ovaries, and she's your patient. Do you tell her

12 that based upon these results, you can rule out that

13 that she had cancer of the ovary?

14 MR. GDANSKI: Object to the form.

15 A. As I told you earlier, the only way you can

16 rule something out is if you have a test that's 100

17 percent sensitive, meaning people who have the

18 disease, always have the abnormal test, and you're

19 negative. That's the only way you can rule

20 something out.

21 So there's no way to rule it out. I was

22 being facetious, but if you wanted to rule it out,

23 you need to remove the ovaries.

24 Q. Would you tell Helen Cohen, then, that in

25 your differential diagnosis, it is that she might

0214

1 have primary ovarian cancer?

2 MR. GDANSKI: Object to the form. Asked

3 and answered.

4 A. I would tell her that in the differential

5 diagnosis, this primary ovarian cancer or metastatic

6 disease could be something else. But the

7 overwhelming probability is she does not have

8 ovarian cancer.

9 Q. Would you tell her she needed to be

10 followed up either with an ultrasound or a CT scan

11 or an x-ray or further radiology?

12 A. Well, again, if she was having --

13 MR. GDANSKI: Object to the form.

14 A. If she were having symptoms, I would

15 definitely want her to -- make her feel better, no

16 matter what's the cause. If she were having

17 symptoms, I would definitely want her to see a

18 gynecologist or gynecologic oncologist.

19 Dealing with cancer patients my whole life,

20 and I think here the probabilities are

21 extraordinarily low that this was ovarian cancer,

22 and I would reassure her it's exceedingly unlikely

0215

23 that she had ovarian cancer, but I can't -- I'm not
24 the person she would go to to make that
25 differential.

0215

1 I would tell her that, you know, I'm not an
2 expert in what they're going to do, and they may do
3 an MRI. But I think probably what she would need
4 would be a laparoscopy to remove the ovaries. That
5 is a test that has 100 percent sensitivity, should
6 relieve the symptoms related to the ovaries itself,
7 and that would rule out ovarian cancer if you
8 removed both ovaries and they slice it appropriately
9 and there's no cancer. That's the only way to do
10 it.

11 Q. How often does ovarian cancer involve both
12 ovaries?

13 A. The answer is, that's well known. I just
14 can't quote that statistic. Ovarian cancer often
15 involves both ovaries. There's a stage where it's
16 involving -- I think it's Stage 1B if it's involving
17 both ovaries. It could be multicentric, meaning
18 it's originating in both ovaries, because whatever
19 the carcinogenic factor, it would be effective in
20 both ovaries. But it's much more common in one
21 ovary.

22 The fact that she had bilaterally involved
23 enlarged ovaries, would make it less suspicious to
24 me, not claiming expertise, so I may be wrong about
25 that, but the fact that she had bilaterally enlarged

0216

1 ovaries would, in my view, probably lessen the
2 probability she had ovarian cancer, whereas if she
3 had one enlarged ovary with masses, that's where you
4 would be concerned about it.

5 Q. If Helen Cohen were at surgical risk and
6 ovarian cancer was suspected, what would your
7 recommendation be?

8 A. Give her some pain medication and see if
9 the pain is controlled. We're talking about
10 minimally invasive surgery, laparoscopic. We're not
11 talking about a big incision. You can remove the
12 ovaries laparoscopically. You can't do a standard
13 ovarian cancer operation, which is a big operation
14 laparoscopically.

15 Q. Do you know whether or not the ovaries that
16 are discussed in the August 22, 2005 ultrasound of
17 Helen Cohen were solid or cystic?

18 A. I only know what -- no, I don't. I just
19 know that it's enlarged, and there's some
20 calcifications. It's suspicious for calcifications.

21 Q. Do you know how they enlarged?

22 MR. GDANSKI: Object to the form.

23 A. No. How would I know that? Ovarian cysts
24 -- benign ovarian neoplasms are not uncommon.

25 Q. The ultrasound report on Helen Cohen

0217

1 doesn't describe them as benign ovarian cysts, does
2 it?

3 A. It does not. But it certainly doesn't
4 describe it as suspicious masses.

5 (Document marked as Exhibit 37
6 for identification)

7 Q. I'm going to hand you what's been marked as
8 Exhibit 37 to your deposition. Do you see this is a
9 new patient questionnaire that Helen Cohen completed
10 on September 11, 2005? The signature and the date
11 is on the last page, doctor.

12 A. I do.

13 Q. So this is several weeks after the
14 transvaginal ultrasound that she had done?

15 A. Yes.

16 Q. And at this time she's reporting painful,
17 oversized ovary right side?

18 A. That's what it says here.

19 Q. She's also reporting constipation; is that
20 true? It's on the second page.

21 A. Yes, along with wheezing, shortness of
22 breath, yes.

23 Q. Have you seen this document?

24 A. No.

25 Q. Let me see if I can ask a better question.

0218

1 Have you seen this document before coming here to
2 your deposition?

3 A. No.

4 Q. So you formed your opinions in this case
5 without seeing this document, true?

6 A. Yes.

7 Q. And on the last page, there's a question
8 put to her on the questionnaire. "Do you have any
9 other gynecological history that the doctor needs to
10 know about," and she reported, "No, except current
11 enlarged ovary on the right side," correct?

12 A. Yes, that's what's written here. May I
13 just read this whole document? The last mammogram
14 was done two and a half years before she was
15 diagnosed with metastatic lung cancer. Okay.

16 Q. We know Helen Cohen was reporting pelvic
17 pain to Dr. Lubekian?

18 A. All I know is painful, oversized ovary,
19 right side.

20 Q. Do you feel comfortable enough to know that
21 that's pelvic pain?

22 A. I don't see the word "pelvic" on here.

23 Q. Where is the ovary located; in the pelvis?

24 A. It is.

25 Q. Can we say it's pelvic pain?

0219

1 A. I guess so. I'll acknowledge that. You
2 can argue that she's got pain and an oversized
3 ovary, but I will grant that, yes.

4 (Document marked as Exhibit 38
5 for identification)

6 Q. I'm going to hand you what's been marked as
7 Exhibit 38 to your deposition. Do you see this is
8 Dr. Lubekian's evaluation of Helen Cohen on that
9 day?

10 A. It looks like it's a day later. This is
11 dated September 12th.

12 Q. Okay. You're correct, yes. While you're
13 reading that, have you seen this document before you
14 reached your opinions in the case?

15 A. No. Where it says "Family History," is
16 that intended to mean noncontributory? On the first
17 page, family history does nothing, but on the next
18 line there's something that I can't read. I don't
19 know if that means noncontributory.

20 Q. Anyway, with respect to the symptoms that
21 she reported, she reported having constipation,
22 correct?

23 A. Yes.

24 Q. She also reported to Dr. Lubekian she had
25 enlarged ovaries, right?

0220

1 A. Yes. So here it's ovaries as opposed to
2 the other thing that says right ovary.

3 Q. And under "Impression" on the second page,
4 do you see where the second line down says, "Patient
5 has not had a previous u/s," which stands for
6 ultrasound?

7 A. Yes. I see that.

8 Q. We know that that's not accurate, because
9 we've seen the previous ultrasounds that Helen Cohen
10 had, right?

11 A. Yes. Eight and nine years ago, I think.

12 Q. And is it fair to say that it doesn't
13 appear that Dr. Lubekian had the benefit of the
14 prior ultrasounds when he was evaluating her on
15 September 12, 2005?

16 A. I can't, you know, I don't know that.

17 Q. You don't know one way or the other?

18 A. No. I haven't spoken to him. The patient
19 has not had a previous ultrasound. Does that mean
20 in our system where you can review it, ever? He's
21 not being specific.

22 Q. Do you see Dr. Lubekian suggested a repeat
23 ultrasound in six months?

24 A. Sure do.

25 Q. Do you know whether that was ever done?

0221

1 A. I do not know. I suspect it was not.

2 Q. By the time six months had rolled around,

3 we know that Helen Cohen had passed away.

4 MR. GDANSKI: Object to the form.

5 A. Yeah. It was March 11th, wasn't it? So
6 she died virtually six months to the day. She was
7 in big trouble by then.

8 Q. Excuse me?

9 A. She was in big trouble six months later.

10 Q. Do you agree that Helen Cohen, at this time
11 of her life, was a poor surgical risk?

12 A. At what time of her life?

13 Q. As of September 12, 2005. Let me ask it a
14 better way. Do you agree Helen Cohen as of
15 September 12, 2005, was a poor surgical candidate?

16 A. I don't know exactly what was going on.
17 She's short of breath. Elsewhere I note that she's
18 using oxygen, but I don't know that she's using it
19 at this point in time. Laparoscopies are pretty
20 benign procedures.

21 What I can conclude from this is the
22 physician -- I don't know what his field is, but I
23 suspect he's a gynecologist -- was not sufficiently
24 concerned that he needed to do anything at this
25 point in time.

0222

1 Q. Do you know whether that was decided
2 because she was a poor surgical candidate?

3 A. I don't see any discussion about it. Let
4 me just make sure I didn't overlook this. "Patient
5 has not had a previous ultrasound. Those could have
6 been present for many years. Patient has multiple
7 medical issues."

8 So he's a fellow of the American College of
9 Obstetrics and Gynecology. So he's a gynecologist,
10 yes.

11 Q. So in September of 2005, did you see
12 anywhere in the medical records where one of Helen
13 Cohen's physicians made the statement that she was a
14 poor surgical candidate?

15 A. I did not. But I would deny that it
16 probably exists somewhere.

17 (Document marked as Exhibit 39
18 for identification)

19 Q. Let me hand you what's been marked as
20 Exhibit 39. It's an office note on Helen Cohen made
21 by Dr. Michele Cohen. It's dated September 16,
22 2005.

23 A. Yes.

24 Q. Had you seen this document before forming
25 your opinions?

0223

1 A. No.

2 Q. Had you seen it before coming to the
3 deposition today?

4 A. No.

5 Q. Down under "Plan," it has four things. It
6 has "One, Labs. Two, Encourage weight loss. Three,
7 Can't present care. Four, Follow-up. Gyn (poor
8 surgical candidate)." Do you see that?

9 A. The third bullet is -- oh, what is --

10 Q. I'm assuming that's c-o-n-t, for continue
11 present care?

12 A. Probably, yes. And "follow-up gyn, poor
13 surgical candidate." Okay.

14 Q. So we know at least Dr. Cohen documented
15 Helen Cohen was a poor surgical candidate as of that
16 time, right?

17 A. Yes. May I read the rest of the record you
18 gave me. "Patient complaining of painful swollen"
19 something. "After last visit started on metformin."
20 It's a diabetic for nuance of diabetes mellitus.
21 "Neck supple, lungs clear, but," something else.
22 "Abdomen soft, positive bowel sounds, mild, tender
23 right lower quadrant" --

24 Q. Can I ask you about that. At least from
25 there Helen Cohen was continuing to report some
0224

1 tenderness in her right lower quadrant?

2 MR. GDANSKI: I object. Doctor, if you
3 want to continue reading it, you can.

4 A. Yes.

5 Q. Are you ready, doctor?

6 A. Yes.

7 Q. Her history of present illness shows that
8 she had been having problems with pelvic discomfort,
9 right?

10 A. Yes.

11 Q. It also discusses the transvaginal
12 ultrasound with the large ovaries, true?

13 A. Yes.

14 Q. And so we know that Helen Cohen was, at
15 least at this time, still having some discomfort in
16 her right lower quadrant, right?

17 A. Yes.

18 Q. And where would you put the right lower
19 quadrant?

20 A. On the right side, and in the lower part of
21 the abdomen, pelvic.

22 Q. Do you agree Helen Cohen would not have
23 been able to withstand intubation and general
24 anesthesia at this time?

25 A. I certainly wouldn't be the one to make
0225

1 that assessment.

2 Q. So you don't have any opinion on that?

3 MR. GDANSKI: Object to the form.

4 A. I don't have any definitive opinion on
5 that.

6 Q. Do you have any opinion on that?

7 A. She's an elderly woman with lots of
8 symptoms. I think she would be at very high risk.

9 Q. What role was her obesity playing in
10 whether or not she was at high risk at this time for
11 surgery?

12 A. It makes it more complicated.

13 Q. Do you believe she would have been able to
14 withstand treatment for ovarian cancer if it had
15 been administered at this time or don't you know?

16 A. What kind of treatment?

17 Q. Chemotherapy.

18 A. Why would you give chemotherapy with just
19 enlarged ovaries without any other incidence of
20 ovarian cancer? There are sort of fairly easy to
21 give, not terribly toxic therapies, that are not
22 first line, but can be used for advanced ovarian
23 cancer. But there's no evidence of advanced ovarian
24 cancer, and she almost certainly didn't have it.

25 Q. Assuming she had primary ovarian cancer for
0226

1 my question, would she have been able to withstand
2 the treatment for that disorder?

3 MR. GDANSKI: Object to the form.

4 A. I can't answer that without having the
5 clear sense of how she was doing at this point in
6 time. At this point in time she has a given
7 history, she's got symptoms. So I just don't have
8 enough information to make that judgment.

9 The answer is, there's some evidence that
10 it's not so toxic for chemotherapy, and laparoscopic
11 surgery is something that's not super invasive, but
12 it's still surgery, and there can be potential
13 complications. So I just can't answer those
14 questions.

15 Q. Helen Cohen would be a high-risk patient
16 for the laparoscopic surgery as well, wouldn't she?

17 A. I would think so.

18 Q. Would you agree that if primary ovarian
19 cancer was suspected at this time or in the
20 differential diagnosis at this time, it would have
21 been prudent for Dr. Lubekian or Dr. Cohen not to do
22 anything, given Helen Cohen's advanced age, her
23 multiple medical problems, and the poor prognosis
24 for ovarian cancer?

25 MR. GDANSKI: Objection to the form.
0227

1 A. This would be the situation where maybe one
2 should do a -- you can certainly needle the ovaries.
3 Of course a negative one wouldn't prove anything,
4 and wouldn't treat anything. But if she had ovarian
5 cancer, it might have shown cancer, and one might
6 have given her -- like there's a drug called
7 etoposide, which can be given orally. They are
8 relatively easy to administer and not terribly toxic

9 therapies that elderly patients with multiple
10 medical co-morbidities could tolerate.

11 Q. Do you agree there was no imaging done on
12 Helen Cohen in 2006 that showed her ovaries?

13 A. At least none that I've seen. I certainly
14 interpret this that nobody in particular thought she
15 had ovarian cancer, but they just wanted to repeat
16 the ultrasound, which is prudent. I'm certainly not
17 an expert in that, but that certainly sounds to me
18 like the appropriate thing to do here.

19 Q. Given Dr. Cohen's opinion that Helen Cohen
20 was a poor surgical candidate, do you agree that it
21 would have been prudent for her, i.e., Dr. Cohen,
22 not to insist on any invasive procedure to get a
23 diagnosis on Helen Cohen, given her age, and other
24 medical conditions at the time?

25 MR. GDANSKI: Object to the form.

0228

1 A. The overwhelming probability, and we know
2 in September of 2005 she had bilateral enlarged
3 ovaries, probably had some right-sided pelvic pain,
4 had multiple co-morbidities, but no mass. So this
5 was exceedingly unlikely to be ovarian cancer. It's
6 not that whatever was causing the enlarged ovaries
7 -- it's not too inclined that maybe the enlarged
8 ovaries were somehow responsible for her pain, but
9 it was very unlikely she had ovarian cancer.

10 If she strongly suspected she had cancer,
11 they probably would have done something more. I
12 have no direct evidence for that.

13 Q. Well, we know that when lung cancer was
14 suspected several months later, the decision was
15 made not to do anything, right?

16 A. This was six months earlier, it would be a
17 different situation. It doesn't sound like she was
18 dying in the next week. It sounded like that
19 decision was ultimately hers, but probably very
20 appropriate in March of 2006.

21 Q. Do you know what her doctors may have told
22 her about whether she should have any follow-up
23 about the bilateral ovarian abnormalities?

24 A. It sounded like -- well, it sounded like
25 obstetrician-gynecologist suggested repeat

0229

1 ultrasound in six months, and Dr. Cohen was
2 suggesting follow-up gyn, but a poor surgical
3 candidate.

4 Q. Do you agree that Helen Cohen had a poor
5 diet and was also obese?

6 A. Well, I certainly saw multiple records to
7 her being obese, and I guess I don't think I read
8 anything about her diet specifically.

9 Q. Do you agree that poor diet and obesity are
10 both risk factors for colon cancer?

11 A. Poor diet and obesity are not independent
12 factors. They are very much related. Certainly,
13 you know, the western diet, you know, lots of meat,
14 red meat is a risk factor. Obesity is somewhat of a
15 risk factor. But I think those are not independent
16 factors.

17 (Document marked as Exhibit 40
18 for identification)

19 Q. I will show you what's been marked as
20 Exhibit 40. Do you see that this is a November 27,
21 2002 record from Dr. Wasserman?

22 A. Yes.

23 Q. And do you see on Page 2 where he advises
24 Helen Cohen to have a colonoscopy every five years
25 and ordered a screen for occult colorectal cancer?

0230

1 A. May I read the whole record. Your question
2 is do I see he advised her to have a colonoscopy
3 every five years to screen her for colon cancer?

4 Q. Yes.

5 A. I do see that.

6 Q. Had you seen that document before your
7 deposition?

8 A. No, I had not.

9 Q. So you hadn't seen it before forming your
10 opinions?

11 A. Yes, that's correct.

12 Q. Now, I want to see if I can speed this up.
13 That's Exhibit 40, right, doctor?

14 A. That's correct.

15 (Documents marked as Exhibits 41 and 43
16 for identification)

17 Q. I'm going to give you Exhibit 41, 42 and
18 43, and ask you if these are each separate visits
19 for --

20 A. I should just read all three.

21 MR. GDANSKI: Let him contemplate his next
22 set of questions.

23 A. I think you gave me two copies of the same
24 record.

25 Q. Sorry.

0231

1 A. These are both 6/2/2004.

2 Q. You have Exhibits 40 and 41, right?

3 A. I have Exhibits 41 and 43.

4 MR. DAVIS: I'm going to put on the record,
5 we do not have a 42.

6 Q. My only point with those is in Dr.
7 Wasserman's plan, both times he recommended that she
8 have a colonoscopy every five years, right?

9 A. Yes.

10 Q. Do you know when her last colonoscopy was.

11 MR. FILBERT: Object to the form.

12 A. No.

13 Q. Did you investigate that before reaching
14 your opinions in the case?

15 MR. FILBERT: Object to the form.

16 A. No.

17 Q. Do you agree that the tumor marker CEA is
18 elevated in colon cancers?

19 A. It can be elevated in colon cancer most
20 commonly in the setting of metastatic disease to the
21 liver, and elevated CEA is a prognostic factor on
22 colon cancer.

23 If you present with colon cancer with an
24 elevated CEA, you're going to do less well than if
25 you present with colon cancer with a normal CEA,

0232

1 even just for staging.

2 Q. In a 80-year-old woman with a history of
3 colon polyps, no colonoscopy for more than 14 years,
4 liver metastases and a clear chest x-ray and an
5 elevated CA of 82.2, what would be in your
6 differential diagnosis?

7 MR. GDANSKI: Object to the form.

8 A. She had an elevated CEA at -- the only time
9 we know about the elevated CEA is when she's
10 diagnosed with metastatic lung cancer. So the
11 diagnosis is established, there's no evidence of
12 colon cancer.

13 Q. I'm asking you a different question. If
14 you have a 80-year-old woman with a history of colon
15 polyps, no colonoscopy from more than 14 years,
16 liver metastasis, a clear chest x-ray and an
17 elevated CEA of 88.2, what would be in your
18 differential diagnosis?

19 MR. GDANSKI: Object to the form.

20 A. She has metastatic cancer if she has liver
21 metastases.

22 Q. What type of metastatic cancer is in your
23 differential diagnosis for this patient I described?

24 A. Almost any epithelial cancer will be
25 associated with an elevated CEA. The one that's

0233

1 been associated with it since the 1960s, when it was
2 first described, is colon cancer, but it's totally
3 nonspecific.

4 Q. So with a patient I've described, you would
5 agree that primary colon cancer is in your
6 differential diagnosis?

7 MR. GDANSKI: Object to the form.

8 A. It's in the differential diagnosis, but
9 there are no symptoms to suggest colon cancer.

10 Q. Now, for a 80-year-old woman with a history
11 of colon polyps, no colonoscopy for more than 14
12 years, liver metastases, and a clear chest x-ray,
13 what would be in your differential diagnosis?

14 MR. GDANSKI: Object to the form.

15 A. Clear chest x-ray?
16 Q. Yes.
17 A. You can do a CT scan of the chest, abdomen
18 and pelvis.
19 Q. I think I'm asking a different question.
20 Would you agree with me that if you have an
21 80-year-old woman with a history of colon polyps, no
22 colonoscopy for a period of 14 years, liver
23 metastases, and a clear chest x-ray, that colon
24 cancer would be in your differential diagnosis for
25 that patient?

0234

1 A. Of course it would be in the differential
2 diagnosis. It would be at the top.

3 Q. What would you have to do to rule in or
4 rule out primary colon cancer in that patient?

5 A. It's the same situation. She's got liver
6 metastases and a week from death, you're not going
7 to do anything to rule it in or rule it out, because
8 she's not a candidate for any kind of therapy.

9 A colonoscopy is a useful test for
10 diagnosing localized colon cancer. I suspect you
11 would do a liver biopsy to see what she, you know,
12 and it would probably show adenocarcinoma. If it
13 were colon cancer, again, it could show an
14 adenocarcinoma if it's lung cancer as well, but it
15 could show something else.

16 There are certain immunohistic chemical
17 markers that make colon cancer more or less likely
18 and lung cancer more or less likely, and you could
19 have done that panel. But again, it's a
20 hypothetical that bears no relationship to this
21 case, because she didn't have a normal chest x-ray,
22 and you've not included CT of the chest in your
23 question.

24 Q. She did have a normal chest x-ray -- Helen
25 Cohen had a normal chest x-ray in February of 2006,

0235

1 true?

2 A. Show me the chest x-ray.

3 Q. You don't know about that?

4 MR. GDANSKI: Object to the form.

5 A. I've seen it, but you're going to need to
6 show it to me or I will look for it.

7 Q. Do you want to look for it?

8 A. I do.

9 Q. I'll tell you what, I'll show it to you.

10 A. I think we'll be here a long time if I have
11 to look for it.

12 Q. I think you said several times today that
13 colon cancers typically metastasize to the liver,
14 true?

15 A. Yes, when they metastasize.

16 Q. And the liver is the most common site for

17 colon cancers to metastasize to, true?
18 A. Yes, besides the regional lymph nodes.
19 Q. And you agree that with primary colon
20 cancer, the liver is typically one of the first
21 sites which the metastatic disease goes to?
22 A. Yes. I would agree with that.
23 Q. When did --
24 A. Apart from the lymph nodes.
25 Q. When did Helen Cohen first have evidence of

0236

1 liver disease?
2 A. To the best of my knowledge, you know, on
3 that terminal admission.
4 Q. So you're saying in March of 2006?
5 A. Right. I don't see that she had many --
6 she was admitted not long before that, but I didn't
7 see imaging studies.
8 (Document marked as Exhibit 44
9 for identification)
10 Q. Doctor, I've handed you what's been marked
11 as Exhibit 44 to your deposition. This is an IP NM
12 WBC scan on Helen Cohen; is that right?
13 A. IP NM -- yes.
14 Q. It's basically a white blood cell count
15 scan on Helen Cohen?
16 A. I assume so. I've never seen that IP NM
17 WBC scan. "The study demonstrates a small focal
18 area of abnormal activity along the medial right
19 iliac bone near the SI joint. There is expected
20 bone marrow and splenic and hepatic activity,
21 although this hepatic activity is inhomogeneous with
22 increased activity respectively in the inferior
23 aspect of the right hepatic lobe with mottled, poor
24 uptake of hepatic activity in the remaining liver.
25 Postoperative changes of the hips are noted.

0237

1 Scoliosis is noted. Focal right iliac lesion, which
2 is indeterminate, but a site of infectious process
3 cannot be excluded." The date is January 22, 2006.
4 Q. So had you seen this document either before
5 your deposition today or before you had arrived at
6 your opinions?
7 A. I have not.
8 Q. Do you agree that this reflects that
9 there's an intrinsic hepatic disease suspected in
10 Helen Cohen?
11 A. Intrinsic hepatic disease is -- I certainly
12 agree that that's what the radiologist or nuclear
13 medicine doctor who interpreted this wrote.
14 Q. How do you interpret this scan?
15 A. I have never ordered it, and wouldn't know
16 specifically how to interpret it. I believe these
17 scans have very limited utility.
18 I know she had been admitted with staph

19 sepsis, and I did not read in detail about that, but
20 I suspect she had a staph aureus in her blood, and
21 they were probably doing this to look for some
22 occult abscess, but I don't know that.

23 Q. Have you investigated that question before
24 you arrived at your opinions?

25 A. Absolutely not. And there's not even a --
0238

1 I'm looking for why the study was done. The answer
2 is, I have no idea when the study was done, because
3 I did see that staph aureus sepsis.

4 Staph sepsis, I didn't know much about it,
5 and now seeing this, I'm putting two and two
6 together. Two and two might be three or five and
7 not four.

8 Q. Do you know whether or not anyone followed
9 up on the scan?

10 A. Since I didn't know the scan was done, and
11 I had never ordered it in my life, I have no idea.

12 Q. Do you know whether or not the scan is
13 abnormal?

14 A. Well, it certainly sounds like the
15 interpretation is that it's abnormal.

16 Q. And do you know what steps were done to
17 investigate whether or not Helen Cohen had intrinsic
18 hepatic disease?

19 A. No.

20 Q. If Helen Cohen actually had cancer, do you
21 agree that she likely had liver metastases as of
22 January, 2006?

23 A. Oh, I'm sure she had liver metastases as of
24 January, 2006.

25 Q. Do you agree that if Helen Cohen had
0239

1 cancer, that she likely had liver cancer as of
2 January, 2006?

3 A. When you say "liver cancer," are you
4 talking about metastatic disease to the liver or are
5 you talking about primary hepatocellular carcinoma?
6 Do I think she had primary hepatocellular carcinoma?
7 I don't.

8 Q. Do you agree that before 2006 there is no
9 x-ray or CT scan that shows that Helen Cohen had
10 evidence of lesions in the liver?

11 A. Do I know whether, prior to 2006, she had
12 any -- I do not know.

13 Q. Do you know of any evidence in the medical
14 records or the radiographic films or images that
15 you've looked at that supports an opinion that she
16 had lesions in the liver before 2006?

17 A. Other than maybe this, whatever this means,
18 but it doesn't mean much to me. But, no.

19 Q. I'm going to hand you what's been marked as
20 Exhibits 46 through 48, and ask if you can identify

21 those as chest x-rays that were done on Helen Cohen?
22 (Documents marked as Exhibits 46 through 48
23 for identification)

24 A. 47 is a chest x-ray done on January 19,
25 2006. 46 is a portable chest x-ray done on 1/5, so
0240

1 two weeks earlier, I believe. And 48 is a portable
2 chest x-ray done on 1/21.

3 Q. So do you take issue at all with anything
4 that's in the chest x-rays that are marked as
5 Exhibits 46, 47 and 48?

6 A. No.

7 Q. And you accept them as accurate?

8 A. I have no reason not to accept them as
9 accurate. No acute or active process.

10 Q. Do any of those chest x-rays show any
11 evidence of a primary lung cancer in Helen Cohen?

12 A. No. You're talking about portable chest
13 x-rays, which are just done in the bed when somebody
14 is sick, which have major limitations, even from the
15 standard of chest x-rays.

16 Q. What cancers do you think of commonly as
17 metastasizing to the ovary?

18 A. Breast cancer, Krukenberg tumors are
19 classic for that, gastric cancer, because it can
20 spread throughout the abdomen. Ovary cancer, breast
21 and gastric would be the ones that I would commonly
22 think of. Colorectal cancer, if there's extensive
23 omental metastases, extensive intra-abdominal
24 metastases, not in the liver but the abdomen, the
25 ovaries will be sitting there, so those could be
0241

1 involved as well.

2 Q. Do you agree that lung cancer, primary lung
3 cancer, typically does not metastasize to the ovary?

4 A. It's not a common site of metastatic
5 disease.

6 Q. I think you mentioned Krukenberg tumors?

7 A. Right.

8 Q. What are they?

9 A. Well, I think they are metastatic cancers,
10 cancers not arising in the ovary, that spread to the
11 ovary. I believe the original reports really
12 referred to breast cancer, but I would not want to
13 assert that with certainty. That's just my remote
14 memory.

15 Q. Have you ever had a patient with Krukenberg
16 tumors?

17 A. Sure. I've seen patients who have had
18 breast cancers metastatic to the ovary, gastric
19 cancers.

20 Q. Are Krukenberg tumors, do they originate
21 anywhere else besides the breast?

22 A. Well, I mean, it's not specifically

23 referring to breast cancer, though I think we most
24 commonly think of breast cancer as doing that. But
25 other cancers that will metastasize within the
0242

1 peritoneum, within the abdominal pelvic cavity.

2 Q. Can you give me some examples of Krukenberg
3 tumors that originate outside of the breast area?

4 A. Just what I said, I am not prepared to
5 render -- to give you other examples.

6 Q. Can --

7 A. Oh, lymphomas will involve the ovaries.

8 Q. Are you saying lymphoma is a Krukenberg
9 tumor?

10 A. You know something, I'm not sure. I would
11 need to check what the current definition of
12 Krukenberg tumor is.

13 Q. Can Krukenberg tumors arise in the stomach
14 or as a gastric cancer?

15 A. Yes. I said that just a moment ago.

16 Q. I'm sorry if I didn't hear you. Can
17 Krukenberg tumors arise in the colon?

18 A. I think I said that as well. It's usually
19 in the context of widespread metastatic disease, and
20 peritoneal implants, you know, I've certainly seen
21 them many times. But you think more of actual
22 involvement of the liver substance.

23 Q. Do you hold yourself out as an expert in
24 Krukenberg tumors?

25 MR. GDANSKI: Object to the form.
0243

1 A. Certainly not.

2 Q. Have you ever done any research or
3 investigation about Krukenberg tumors?

4 A. No.

5 Q. Can CA-125 be increased with Krukenberg
6 tumors?

7 A. I don't know the answer to that question.
8 I suspect the answer is yes, but I don't know the
9 answer to that question.

10 Q. When Krukenberg tumors present any ovary,
11 how do they present; is it unilateral, bilateral, or
12 you don't know?

13 A. The answer is, I don't know. But if it's
14 metastatic, I believe it's more commonly going to be
15 bilateral, but I don't know that for sure.

16 Q. Do you know what the prognosis is of a
17 Krukenberg tumor?

18 A. I think it really depends upon the
19 prognosis of the primary. Breast cancer, for
20 example, when it's metastatic, particularly nowadays
21 we can often treat it effectively with hormonal
22 types of therapies or targeted therapies. It sort
23 of depends upon the primary cancer.

24 So if you say it's from breast cancer, I

25 can't quote any studies on this, the prognosis would
0244

1 be much better if it were a primary breast cancer
2 than a primary gastric cancer.

3 Q. Is your area of expertise in the area of
4 the prognosis of Krukenberg tumors?

5 MR. GDANSKI: Object to the form.

6 A. No.

7 Q. Do you know whether or not a hiatal hernia
8 is a risk factor for reflux esophagitis?

9 A. No. I've never thought of the question in
10 those terms or known definitively the answer. But I
11 think since the hernia is sort of preventing reflux,
12 I think the answer is probably yes. But it's not
13 something I've ever been involved in or know for a
14 fact.

15 Q. Is reflux esophagitis a risk factor for
16 gastrointestinal cancer?

17 A. Yes. It's a risk factor for -- most
18 esophageal cancers are in the esophageal gastric
19 junction. Gastric reflux and Barrett's esophagus
20 are major risk factors for that, particularly
21 Barrett's esophagus.

22 Q. Do you agree Helen Cohen had a fairly large
23 hiatal hernia?

24 A. I know she had a hiatal hernia. I don't
25 know anything about the size.

0245

1 Q. If Helen Cohen had bilateral ovarian masses
2 or abnormalities, a history of colon polyps, a large
3 hiatal hernia and reflux esophagitis, would ovarian
4 metastasis from a GI primary be in your differential
5 diagnosis?

6 MR. GDANSKI: Object to the form.

7 A. It wouldn't be something that I would be
8 thinking of primarily. I guess it would be in the
9 differential diagnosis, because anything is in the
10 differential diagnosis. No. It's a stretch to say
11 the least.

12 Q. Are you aware of any evidence that when
13 Helen Cohen was being treated for suspected lung
14 cancer in March of 2006, that any of her doctors
15 knew about the bilateral ovarian abnormalities that
16 showed up on her ultrasound?

17 MR. GDANSKI: Object to the form.

18 A. Yes.

19 Q. You are aware of that?

20 A. Yes.

21 Q. Who are you aware of it --

22 A. Dr. Cohen.

23 Q. Do you know whether or not Dr. Cohen
24 communicated that information to any of Helen
25 Cohen's doctors who were specifically directed at

0246

1 treating her suspected cancer?

2 MR. GDANSKI: Object to the form.

3 A. I don't believe any -- I'm not sure anyone
4 got to the point of treating her suspected cancer.
5 She was a week from death.

6 Q. Let me rephrase the question. Do you know
7 whether Dr. Cohen told any of the doctors who were
8 evaluating Helen Cohen in March of 2006 for
9 suspected lung cancer and who were focused on
10 evaluating her for that -- let me back up.

11 A. That's fine.

12 Q. Dr. Cohen was not part of the team of
13 physicians that was analyzing the suspected lung
14 cancer in Helen Cohen, true?

15 MR. GDANSKI: Object to the form.

16 A. I don't know that for a fact, but I assume
17 it's not true. She's the one -- isn't she the one
18 who dictated the discharge summary?

19 Q. You have in a treatment staff, typically
20 you have an oncologist who's helping to assess
21 whether a patient has cancer, right?

22 A. Usually, if she doesn't have cancer, the
23 primary doctor would be running the show, and they
24 would be consulting an oncologist.

25 Q. Do you know whether Dr. Cohen --

0247

1 MR. GDANSKI: Have you finished, doctor?

2 THE WITNESS: No.

3 A. I think the oncologist was consulted not
4 for suspected cancer, but because of the elevated
5 white count of 30,000.

6 Q. Do you know whether the abnormal
7 transvaginal ultrasound was ever put into the
8 medical records that were at Delray Medical Center
9 at the time that Helen Cohen was being evaluated for
10 suspected lung cancer?

11 MR. GDANSKI: Object to the form.

12 A. I have no idea.

13 Q. Do you know whether Dr. Michele Cohen told
14 anybody that was trying to evaluate Helen Cohen for
15 suspected lung cancer that she had had these
16 bilateral ovarian abnormalities approximately six
17 months earlier?

18 A. I am not privy to her private communication
19 with other consulting physicians.

20 Q. So you don't know?

21 A. I don't know.

22 Q. Do you agree that because Helen Cohen never
23 underwent additional biopsy or testing after
24 suspected lung cancer that her physician there who
25 were treating her did not rule out primary ovarian

0248

1 cancer, primary colon cancer, primary breast cancer

2 or primary stomach or gastric cancer?

3 MR. GDANSKI: Object to the form.

4 A. If they wanted to rule it out, there's no
5 way of doing that. You can't rule it out other than
6 by autopsy.

7 Q. There's something in between before
8 autopsy, right? There's a tissue sample that could
9 have been taken from Helen Cohen to assess whether
10 or not she had primary lung cancer or some other
11 type of cancer or disease, true?

12 MR. GDANSKI: Object to the form. Asked
13 and answered.

14 A. One could have considered -- a biopsy was
15 considered, actually recommended, but not pushed, I
16 presume. We're talking about a week before her
17 death. A biopsy almost certainly would have shown
18 cancer, and almost certainly would not have proven
19 absolutely what the primary site was or more likely
20 than not the biopsy wouldn't have proven the primary
21 site. The primary site was pretty obvious, as it
22 was to her physicians.

23 Q. Where does liver cancer typically
24 metastasize to?

25 A. Well, liver cancer is very uncommon in this
0249

1 country. They did a whole lot of tumor markers, and
2 the one tumor marker they didn't do is an
3 alpha-fetoprotein or AFP, which is a screening test
4 for liver cancer. She didn't have any of the risk
5 factors. It was not a dominant liver mass.

6 So I don't think the thought came to
7 anybody's mind, except you. So they didn't rule it
8 out. There's no way to rule it out. But there was
9 no evidence for a primary liver cancer. This was
10 metastatic disease diffuse metastases to the liver.
11 That's not how a primary hepatic cellular carcinoma
12 presents.

13 When it does metastasize, it can present or
14 metastasize to both lungs, the pleura, to the
15 intra-abdominal lymph nodes, and occasionally to
16 bone, and rarely to the brain.

17 Q. Do you know of any medical record where
18 Helen Cohen's physicians suspected that she might
19 have had a primary myeloproliferative disorder
20 before her death?

21 A. The oncology consult was actually done for
22 elevated white count. May I see the record. I
23 would like to read the initial note again. I think
24 that might have been in something that may have been
25 considered at that point in time.

0250

1 Q. Do you want to look at your note?

2 A. Should I read it or do you want me to speak
3 out loud?

4 Q. Well, let me hand you Exhibit 49, so we can

5 make sure we're talking about the same document.
6 (Document marked as Exhibit 49
7 for identification)

8 A. We are.

9 Q. Do you see there, doctor, that this is an
10 oncology consultation for Helen Cohen, dated March
11 4, 2006?

12 A. It actually says consultation. So it's a
13 hematology oncology consultation. So I think he was
14 focusing more on the elevated white count.

15 Q. Yes. And Dr. Koletsky was evaluating
16 leukocytosis, which is an elevated white count?

17 A. Correct.

18 Q. So one of the things that he said from this
19 result is that the white count has increased to date
20 to 31,900?

21 A. I believe so, but I just need to confirm
22 that.

23 Q. Did you find it, doctor?

24 A. No. I suspect this is a reaction here. We
25 find normally infection and recent IV steroid usage,
0251

1 and a primary myeloproliferative disorder would be
2 less likely, but needs to be ruled out.

3 Q. Primary --

4 A. Myeloproliferative disorder.

5 Q. He's not talking about primary lung cancer
6 there, is he?

7 A. No.

8 Q. Do you know what tests were done to rule
9 out that she did not have a primary
10 myeloproliferative disorder?

11 A. I know what he's recommending. I think
12 they sent for flow cytometry, and they said there
13 would be a follow-up report, but I think I saw the
14 flow cytometry, but I didn't see that. The answer
15 is, I don't. Obviously in the context of what she
16 had, this is not myelofibrosis.

17 Q. So what he was recommending was to do some
18 molecular tests to look for some mutations that
19 might be related to the myeloproliferative disorder?

20 A. Yes.

21 Q. Do you know what the test results showed?

22 A. I was looking for it, and I think I saw
23 some comment that they had done it, and report to
24 follow, but I don't believe I saw the result of the
25 BCRA. I'm sure it's negative, but I don't know for
0252

1 a fact.

2 Q. Are you aware that the cells from that
3 particular blood specimen did not proliferate, and
4 therefore, the chromosomal analysis was not
5 possible?

6 A. I didn't know that. Clearly this was a red

7 herring. She had a marked leukemoid reaction in the
8 context of dying of her metastatic lung cancer.

9 Q. Are you aware a repeat peripheral blood
10 specimen or bone marrow aspirate was suggested?

11 A. Repeat what? I don't know if she had a
12 bone marrow ever before. That wouldn't have been
13 very useful in this circumstance.

14 They did recommend leukocyte alkaline
15 phosphatase, but there's a coma after leukocyte. It
16 should be leukocyte alkaline phosphatase or LAP. In
17 patients with a leukemoid reaction, the LAP is
18 usually very high. In patients with chronic
19 myelogenous leukemia, the LAP is low.

20 Q. Do you see that's the test results I was
21 mentioning that the peripheral blood specimens on
22 Helen Cohen did not proliferate in culture, and
23 therefore the chromosomal analysis was not possible?

24 A. Yes.

25 Q. And that's a document that we've marked as
0253

1 Exhibit 50?

2 A. Yes.

3 (Document marked as Exhibit 50
4 for identification)

5 Q. It also says a repeat peripheral blood
6 specimen or bone marrow aspirate when clinically
7 appropriate is suggested, correct?

8 A. Yes.

9 Q. Do you know whether that was ever done on
10 Helen Cohen?

11 A. Since this report was generated on March
12 10, 2006, and she died on March 11, 2006, I suspect
13 it was not done, but I don't know for a fact. I
14 don't believe it would have been critical for her
15 care. May I have a brief break?

16 Q. Yes.

17 (Recess)

18 BY MR. DAVIS:

19 Q. You've got in front of you what's been
20 marked as Exhibit 32; is that right?

21 A. That's correct.

22 Q. That's the CT scan, dated March 3, 2006 for
23 Helen Cohen?

24 A. Yes, it is.

25 Q. I want you to describe for me --
0254

1 A. It's actually a CTA, CT angiogram. Usually
2 they are specifically done to rule out pulmonary
3 emboli.

4 Q. Is that your understanding about why that
5 CTA scan was done?

6 A. It doesn't say on the requisition. But
7 that's usually why one would order a CTA as opposed
8 to a CT.

9 Q. I'd like for you to tell me what your
10 opinion is about why this CT scan shows a primary
11 lung cancer in Helen Cohen?

12 A. Well, it shows diffuse mediastinal
13 adenopathy, meaning lymph nodes in the mediastinum,
14 which is outside is lung in the chest. And it also
15 shows dense consolidation in the posterior segment
16 of the right upper lobe, extends down to diffusely
17 involve the right hilar region surrounding the right
18 bronchus and right lower lobe pulmonary artery,
19 without obvious enhancement.

20 There seems to be a mass in the center of
21 the chest, the hilum is in the lung, the mediastinum
22 is outside the lung but in the chest, but is
23 probably causing blockage of the posterior segment
24 of the right upper lobe.

25 So this abnormality in the lung associated

0255

1 with diffuse adenopathy and associated with diffuse
2 liver metastases is most consistent with a primary
3 lung cancer, which is what they are saying.

4 Q. This CTA report describes what they are
5 seeing as a dense consolidation, true?

6 A. Correct.

7 Q. It does not describe what they see as a
8 mass, true?

9 MR. GDANSKI: Object to the form.

10 A. That's very typical in lung cancer,
11 particularly if you have a central mass causing some
12 obstruction of the airways, you know, the blackness
13 of the -- the whiteness on the CT of the collapsed
14 lung sort of blends in with the hilum and
15 mediastinum.

16 Q. Do you contend there was a mass seen on the
17 CT scan?

18 A. No. They're not describing a mass, but
19 it's very typical for central lung cancers that are
20 causing collapse where you really can't see the
21 mass. You would need to do a bronchoscopy where you
22 probably would see an endobronchial lesion.

23 Q. Do you believe that on the CT scan that you
24 reviewed, the March 3, 2006 CTA scan that you looked
25 at before coming to your deposition, did you see any

0256

1 mass in the center of Helen Cohen's chest?

2 A. Well, I saw dense abnormalities in the
3 center of the chest, which include the mass and the
4 hilar lymph nodes, which are extremely difficult to
5 distinct, as well as collapse of the lung.

6 So it's a totally typical way of lung
7 cancer presenting.

8 Q. If I hear what you're saying, you saw dense
9 abnormalities, but you did not see a mass of the CTA
10 scan, true?

11 MR. GDANSKI: Object to the form.

12 A. As I said, I do think I'm seeing a mass,
13 but the mass is integrated with the hilar lymph
14 nodes and the collapsed lung around it.

15 Q. So just tell me straight up one way or the
16 other, did you visualize a mass in the area that you
17 just described for Helen Cohen?

18 MR. GDANSKI: Object to the form. Asked
19 and answered.

20 A. Infiltrate-like mass appears to be invading
21 the right hilum with an associated adenopathy in the
22 mediastinum. The word "mass" is in the report and
23 that's what I saw. The answer is, yes, I did see a
24 mass.

25 Q. What was the size of the mass?

0257

1 A. Because it's -- you can't distinguish the
2 node from the mass from the collapse. You can
3 measure the size, if I can actually get the CT scan,
4 because it's a measuring tool, but that doesn't mean
5 that's the mass. There's certainly collapse of the
6 lung because of the mass obstructing the airway in
7 the center of the right chest.

8 Q. Is it fair to say what you were describing
9 as a mass in the center of Helen Cohen's chest, you
10 did not measure it, true?

11 MR. GDANSKI: Object to the form.

12 A. Right, because I could not actually -- I
13 was given a CD, which I could not sort of manipulate
14 it like you can in my own hospital.

15 Q. If you were going to reach an opinion about
16 a patient of yours, would you want to have that
17 ability to manipulate the CTA scan to confirm that
18 what you are seeing is in fact a mass or something
19 that is mimicking a cancerous mass?

20 MR. GDANSKI: Object to the form.

21 A. As I told you earlier, if this were my
22 patient, I would be reviewing the CT scan with the
23 radiologist. I knew the report, and I looked at the
24 CT scan, and I agreed with it. But if it were my
25 patient, I would have access to or hospital computer

0258

1 and a radiologist who I would review it with.

2 But the radiologist who read this, and I'm
3 absolutely not a radiologist, even though I'm very
4 experienced in the diagnosis and treatment of lung
5 cancer, but there's an infiltrate-like mass that
6 appears to be invading into the right hilum with
7 associated adenopathy into the mediastinum.

8 Q. Do you know what they mean in the CTA
9 report when they say "infiltrate-like mass"?

10 MR. GDANSKI: Object to the form.

11 A. Infiltrate means some collapse, pneumonia.
12 The word is pretty descriptive. It certainly

13 strongly supports a cancer.

14 Q. It is suspicious for cancer, but not
15 definitive, true?

16 MR. GDANSKI: Object to the form.

17 A. Lung cancer is suspected, yes.

18 Q. But when they say "infiltrate-like mass,"
19 that alone is not definitive or to a reasonable
20 degree of medical probability that it's cancer,
21 true?

22 MR. GDANSKI: Object to the form.

23 A. The radiologist can't make that diagnosis.
24 The clinicians who cared for her and filled out the
25 death certificate were much more definitive than

0259

1 that.

2 As I said to you earlier, I would say I'm
3 99 percent sure she had cancer and I'm 90 percent
4 sure she had lung cancer.

5 Q. Where do you contend this infiltrate-like
6 mass was in Helen Cohen?

7 A. In the center of the right chest.

8 Q. Again, was your view of the infiltrate-like
9 mass, when you looked at the actual images, blocked
10 by other structures?

11 MR. GDANSKI: Object to the form.

12 A. I'm not a radiologist. Usually on the
13 chest x-ray there are often other structures --
14 there are major vessels, there are lymph nodes,
15 there's the heart. To me it was pretty clear of
16 lung cancer. This is a classic presentation of an
17 advanced lung cancer.

18 Q. I want you to bear with me on the next
19 question. You alone are the person who has to make
20 the call up or down for purposes of a patient that
21 walks in and shows this dense consolidation, and
22 this infiltration-like mass as seen and described in
23 Helen Cohen's CTA scan of March 3, 2006. Are you
24 with me so far?

25 A. I'm a hospital of one?

0260

1 Q. You're a hospital of one.

2 A. Okay. Sure.

3 Q. You look at this. Can you say to a
4 reasonable degree of medical probability for
5 purposes of diagnosing and treating that patient
6 that this is in fact a primary lung cancer?

7 MR. GDANSKI: Object to the form.

8 A. As I said, I wouldn't treat this patient,
9 because she was dying. But your putting me in a
10 hypothetical situation, which bears no relationship
11 to reality. Teams of doctors who have expertise in
12 this would look at this.

13 When I looked at this, I knew what the
14 report would say. She died a couple days later. To

15 me this is lung cancer. This is metastatic lung
16 cancer.

17 Q. Let's come back to my question.

18 MR. GDANSKI: He answered your question.

19 Q. In this case as an expert, you haven't
20 consulted with another oncologist or hematologist,
21 have you, about Helen Cohen?

22 A. No.

23 Q. You haven't consulted with a radiologist,
24 correct?

25 A. Correct.

0261

1 Q. You are, in fact, a hospital of one for
2 your expert opinions in this case, aren't you?

3 MR. GDANSKI: Object to the form.

4 A. No.

5 Q. Is there anybody else, doctor, besides
6 yourself that you're relying upon to form your
7 opinions in this case?

8 MR. GDANSKI: Object to the form.

9 A. I'm relying on the body of knowledge that
10 exists with regard to how lung cancer presents, its
11 natural history, the relationship between smoking
12 and lung cancer. So I'm certainly not relying upon
13 myself for anything. It's, with all due respect, an
14 absurd question.

15 Q. It is not. You have not consulted any
16 other expert in any other field to reach your
17 opinions in this case about Helen Cohen, have you?

18 A. Yes, that's correct.

19 Q. So you're the hospital of one. You've got
20 to play all the roles here, doctor, and I'm asking
21 you, if this patient, Helen Cohen, came in, and this
22 CTA scan was your patient, you saw it, you see the
23 dense consolidation, you see the infiltrate-like
24 mass, would you alone be able to render an opinion
25 to a reasonable degree of medical probability that

0262

1 Helen Cohen had primary lung cancer?

2 MR. GDANSKI: Object to the form. Asked
3 and answered.

4 A. Yes. Easily.

5 Q. You would.

6 A. You didn't ask me 100 percent certain, but
7 you asked me to a reasonable degree of probability.
8 I would say I'm 90 percent certain she had lung
9 cancer.

10 Q. What's your understanding of reasonable
11 degree of medical probability?

12 A. I guess, you know, I'm not a lawyer, but
13 I'm told reasonable degree of medical probability is
14 50.1 percent or greater. Here my degree of
15 certainty is, you know, I'm picking out a number,
16 and I'm saying 99 percent sure she had cancer, and

17 90 percent sure she had lung cancer.

18 Q. So if you were the hospital of one, this
19 was your patient, this is not a litigation case that
20 you're evaluating, you're going to be able to say, I
21 can look at this CT scan, and say that this dense
22 consolidation and this infiltrate-like mass is
23 primary lung cancer?

24 MR. GDANSKI: Counsel. I object. It's
25 five o'clock. It was just asked and answered. It

0263

1 was clearly just asked and answered on the record
2 with a direct answer.

3 MR. DAVIS: It has not.

4 MR. GDANSKI: I object that it's been asked
5 and answered. I object that you're using this
6 witness and wasting time. I counsel you to use time
7 efficiently to the point we're not repeating
8 questions. You can answer the question again. At
9 some point the asked and answered objection was
10 because you're repeating questions hoping to get a
11 different answer, which you're probably not going to
12 get.

13 MR. DAVIS: Jon, the witness came today to
14 talk about his opinions. The process has been
15 somewhat slow because of the familiarity or lack of
16 familiarity with the records, and we've had to spend
17 some time with that. I'm not blaming Dr. Strauss
18 for that. I'm not blaming anybody for that. It's
19 the circumstances we're dealing with today.

20 I don't agree with you that we've wasted
21 time here today. There's been a fair amount of
22 stuff we've had to cover. So let's just settle that
23 aside. Can you repeat my question?

24 A. I understand it. I don't accept the
25 validity of your question, but my answer is yes.

0264

1 Q. So in your normal practice, when you're
2 outside of litigation, you would not be a hospital
3 of one, correct?

4 MR. GDANSKI: Object to the form.

5 A. Correct.

6 Q. You would see this CT scan on Helen Cohen,
7 and you would say I've got my suspicions, but I need
8 to talk to a radiologist to confirm those
9 suspicions, true?

10 MR. GDANSKI: Object to the form.

11 A. Yes.

12 Q. So you wouldn't be able to say with any
13 degree of certainty in that situation what exactly
14 was going on with Helen Cohen, true?

15 A. Well --

16 MR. GDANSKI: Object to the form.

17 A. -- the difference here is I'm not a
18 hospital of one, because I have a radiologist

19 report. I always look at films with radiologists,
20 but sometimes when I have the report and it's pretty
21 obvious what I'm seeing, I may not. So I'm not a
22 hospital of one even in this case. I've got all of
23 the medical records.

24 Q. Doctor, my question is outside the context
25 of litigation, outside the context of a lawsuit. If
0265

1 you saw Helen Cohen's CT scan, you alone would not
2 then evaluate that patient as having confirmed
3 primary lung cancer, what you would instead do is
4 take that to a radiologist and confirm that your
5 suspicion was accurate, true?

6 MR. GDANSKI: Object to the form.

7 A. Here I have the radiologist's report and
8 the film.

9 Q. So the answer to my question was yes,
10 right?

11 MR. GDANSKI: Object to the form. Asked
12 and answered.

13 A. I guess so.

14 Q. It's yes, right?

15 MR. GDANSKI: Object to the form. Asked
16 and answered. What's going on here?

17 A. This is a litigation case.

18 Q. I'm not asking you about a litigation case.

19 MR. GDANSKI: Are you suggesting the doctor
20 should have violated rights and gone to -- I object.
21 This is abusive. It's abusive.

22 Q. Back to my question.

23 MR. GDANSKI: Which has been asked and
24 answered.

25 Q. The answer is, if you saw Helen Cohen's CT
0266

1 scan outside the context of litigation, you would
2 not make a diagnosis of primary lung cancer all by
3 yourself, you would rather take that CT scan, talk
4 with a radiologist, and have the radiologist confirm
5 that your suspicion is accurate?

6 A. Frankly, that comes up fairly often.

7 MR. GDANSKI: Object to the form.

8 A. We do consults in the hospital.

9 Q. The answer to that question is yes?

10 MR. GDANSKI: He's answering your question.
11 Answer the question as you see fit, doctor.

12 A. If I saw the patient as a consultation and
13 I had the report and I had the CT, I might just,
14 because I like to do it in general, and it's good
15 for teaching fellows and students with me, but you
16 know, with the report and the CT scan, this is
17 really no reason to do that.

18 Q. Wait a minute. You've testified numerous
19 times that outside the context of litigation you've
20 gone and used a radiologist to confirm what you see

21 --

22 A. But here I have the report. If I were
23 consulted in the hospital, if I were Dr. Koletsky,
24 where I had this report, if I were consulted on 3/4
25 or 3/5, and I had the report, saw the patient, I
0267

1 would presumably have access to the hospital
2 computer, generally I do that, because for teaching
3 purposes, but this was not a gray case. This was
4 pretty clear.

5 Q. So you agree that more likely than not, if
6 you had gotten this case outside of the context of
7 litigation, your general practice would have been to
8 take the CT scan to a radiologist and confirm your
9 suspicion that Helen Cohen had primary lung cancer?

10 MR. GDANSKI: Object to the form. Asked
11 and answered. Mischaracterizes his prior testimony.

12 A. I don't know what to say. Usually, yes.
13 My hospital CT would have been loaded and would have
14 been looked at, and I'd probably have wasted the
15 radiologist's time if I asked him to look at it
16 again.

17 MR. DAVIS: Can you repeat that answer.

18 (Reporter read back previous answer)

19 Q. Is it your testimony that Helen Cohen had
20 an endobronchial lesion?

21 A. I think it's possible.

22 Q. Did you visualize one on the CT scan?

23 A. You can't visualize an endobronchial lesion
24 on a CT scan. The blockage of the bronchus, as
25 described, strongly suggests that. You need to --
0268

1 you would visualize that in bronchoscopy, and she
2 probably would have died if she had.

3 Q. In terms of confirming your suspicion of
4 primary lung cancer, a bronchoscopy was not done to
5 verify it, true?

6 MR. GDANSKI: Object to the form.

7 A. It was definitely not done.

8 Q. So what I said was true, right?

9 MR. GDANSKI: Object to the form.

10 A. To me the diagnosis was well established by
11 this point in time, and the procedure was not
12 necessary in a patient who was a few days from
13 death.

14 Q. You say that the diagnosis was confirmed at
15 that time. That's not what the CT scan report says,
16 does it?

17 A. It's not what the CT scan reports, but
18 that's what the death certificate says, and that's
19 what the discharge summary says.

20 Q. The CTA scan report of March 3, 2006 says
21 that lung cancer is suspected, right?

22 A. That's what --

23 MR. GDANSKI: Object to the form. That's
24 been asked and answered.

25 A. Yes.

0269

1 Q. And you're not going to sit here and say
2 that you're relying on the death certificate in this
3 case to form an opinion?

4 A. No.

5 Q. You're not, are you?

6 A. I'm not relying on the death certificate,
7 but the death certificate reflects the facts of this
8 case.

9 Q. Do you know anything that Dr. Dudley, who
10 filled out the death certificate, did to investigate
11 the cause of Helen Cohen's death before he signed
12 it?

13 A. I don't.

14 Q. You don't?

15 A. I said I don't.

16 Q. And I think you described his deposition in
17 your notes, your typed notes, as nothing useful in
18 that deposition, true?

19 MR. GDANSKI: Form.

20 A. I need to -- I don't remember the doctor,
21 but I suspect it's correct.

22 Q. So do you know whether Dr. Dudley spent one
23 minute, ten minutes, two hours or several days with
24 Mrs. Cohen before he wrote on the death certificate
25 what he wrote?

0270

1 A. I don't know.

2 Q. Did you investigate that before making any
3 reference to the death certificate?

4 A. I wouldn't know how to investigate that.

5 Q. Do you agree that death certificates are
6 inherently unreliable?

7 A. Death certificates are often unreliable.

8 Q. More likely than not what's on the death
9 certificate is unreliable?

10 A. I absolutely disagree with that. More
11 likely than not the death certificate is correct,
12 but they are often wrong. In this case --

13 Q. A substantial majority --

14 MR. GDANSKI: Are you still answering the
15 question. Come on.

16 MR. DAVIS: Are you finished?

17 MR. GDANSKI: No, he was not.

18 MR. DAVIS: Jon, we'll get this ironed out.
19 No one is going to cut him off. No one is going to
20 do that. If I interrupted him, I apologize. That's
21 not my goal.

22 Q. Did I interrupt you?

23 A. Yes, you did.

24 Q. Please, finish.

25 A. There is considerable literature that death
0271

1 certificates can often be wrong. But to say they
2 are often wrong than correct, I am not an expert in
3 death certificates. I have not done any studies on
4 death certificates. But to say it's more likely
5 than not to be wrong I believe is a gross distortion
6 of the truth.

7 Q. Can you tell us here today what percentage
8 of death certificates accurately show the true
9 diagnosis and cause of death?

10 A. I'd be glad to research that. Of course,
11 you know, you may not know that, other than by
12 autopsy. But I cannot.

13 Q. Is it that you say that you suspect she has
14 an endobronchial lesion?

15 A. Because she had collapse of one of the
16 lobes of the lung, although that theoretically could
17 be the lung mass itself. So I'm reading from the
18 CT, a report. "This dense consolidation extends" --
19 that's consolidation in the posterior segment of the
20 right upper lobe -- "dense consolidation extends
21 down to diffusely involve the right hilar region
22 surrounding the right bronchus and right lower lobe
23 pulmonary artery, but without obvious encasement."

24 So it's around the right bronchus. So it's
25 probably causing -- you know, you can't assess an
0272

1 endobronchial lesion by CT can.

2 Q. Do you agree pneumonia can also result in a
3 collapsed lung?

4 MR. GDANSKI: Object to the form.

5 A. Usually not. There's inflammation of the
6 lung, but usually pneumonia is not going to cause
7 the collapse itself. There may be a mucus plugging,
8 something we talked about hours ago, which can lead
9 to collapse of the lung. But collapse of the lung
10 usually means there's something actually obstructing
11 the bronchus, the airway to that lobe of the lung or
12 that segment of the lung.

13 So pneumonia itself usually does not do
14 that, although you can have big wads of pus or mucus
15 plugging.

16 Q. Where do you contend the lung cancer was
17 located?

18 MR. GDANSKI: Object to the form.

19 A. I thought I already told you. In the
20 center of the right chest, central lesion in the
21 right lung.

22 Q. What part in the central chest is it
23 located near?

24 A. The posterior segment of the right upper
25 lobe. So it's probably in the central part of the
0273

1 lung in the right upper lobe. There are three lobes
2 on the right side. I think she had a right upper
3 lobe central lung cancer.

4 Q. Did you identify the location of the lung
5 cancer on the CT scan?

6 A. Yes -- well, again, with the caveat that
7 you can't distinguish the nodes from the collapse
8 and the mass itself, but it's all in that area.

9 Q. Did you see anything else of importance in
10 the March 3, 2006 CT scan, other than what you have
11 described?

12 MR. GDANSKI: Object to the form.

13 A. Yes. She had diffuse metastatic disease in
14 the liver.

15 Q. Other than that, is there anything else
16 specific to her chest area? Do you understand my
17 question? I will rephrase it if you don't.

18 A. Yes.

19 Q. Okay. The liver is below the diaphragm; is
20 that right?

21 A. Correct.

22 Q. Did you see anything of importance, of
23 significance, to you above the diaphragm in the
24 March 3, 2006 CT scan, other than what you've
25 described?

0274

1 A. Other than the collapse of the right
2 posterior --

3 MR. GDANSKI: Object to the form.

4 A. -- segment?

5 Q. Yes.

6 A. Other than the collapse of the posterior
7 segment at the lower lobe, the extensive adenopathy
8 encasement in the bronchus and the extensive diffuse
9 mediastinal adenopathy. Apart from that, everything
10 seemed to be perfectly normal.

11 Q. So you're not saying that there was
12 encasement, are you?

13 A. I believe -- let's see. So it's
14 surrounding the bronchus and right lower lobe
15 pulmonary artery, but without obvious encasement.

16 Q. What does "without obvious encasement" mean
17 to you?

18 A. They are describing that it's totally
19 surrounding it. The CT has limitations in
20 evaluating this. To see an endobronchial mass,
21 which she probably had, one would have needed to do
22 a bronchoscopy or an autopsy.

23 Q. Say that again?

24 A. One would have needed to do a bronchoscopy,
25 that she wouldn't have survived, or an autopsy.

0275

1 Q. What would the bronchoscopy hope to have
2 identified?

3 A. Well, again, to me there was no diagnostic
4 doubt -- well, the 90 percent -- there was no
5 question she was dying of cancer, and I think a 90
6 percent chance she had a primary lung cancer. So I
7 don't think it would have been helpful, because she
8 was dying and was going to be dead in eight days
9 from this point in time.

10 But it might have given you more anatomic
11 description and provided pathology of the nature of
12 the cancer.

13 Q. Do you diagnose and treat your own patients
14 with the standard of reasonable degree of medical
15 probability?

16 MR. GDANSKI: Object to the form. Asked
17 and answered eight hours ago.

18 A. No. In medicine -- in the practice of
19 medicine, 50.1 percent has -- it's never a
20 meaningful number. It doesn't reflect -- you're
21 sort of inclined to think it's a little more likely
22 than not. I'm not saying this is 50.1 percent lung
23 cancer. I would say I'm 90 percent sure she had a
24 primary lung cancer; not 100 percent, but 90
25 percent.

0276

1 Q. When you treat your own patients, do you
2 ascribe to the level of certainty of 100 percent --

3 MR. GDANSKI: Object to the form.

4 Q. -- or 95 to 100 percent?

5 MR. GDANSKI: Object to the form. Asked
6 and answered.

7 A. Most of the time we actually have a clear
8 mass and a biopsy that confirms it's lung cancer.
9 So once in that situation, we're 100 percent here,
10 but not 100 percent because she never had a biopsy.

11 So I would say I'm as certain as I could be
12 without the benefit of a biopsy, which I believe was
13 not necessary or warranted given that this woman was
14 dying and not a candidate for any kind of meaningful
15 therapy during this last admission in March of 2006.

16 Q. Can you tell us anything about the size of
17 the lung cancer that you claim was present in Helen
18 Cohen's chest?

19 MR. GDANSKI: Object to the form.

20 A. I know for a fact you asked me that exact
21 question before, and the answer is I did not have
22 the tool. I was not able to do it easily. And if I
23 did and I was measuring something, what I would be
24 measuring is I could not distinguish the nodes from
25 the mass from the collapse.

0277

1 MR. GDANSKI: Counsel, it appears to me
2 that you may be asking questions over and over
3 again. I don't know what to tell you. It's 5:20.
4 I would just ask that we move along to questions

5 that were not asked and answered already.

6 Q. If I were to show you a CT scan, would you
7 be able to distinguish between what is tumor and
8 what is pneumonia or would you ask for help from a
9 radiologist?

10 MR. GDANSKI: Object to the form.

11 A. I thought I had answered that question
12 before. If you showed me a CT scan that I didn't
13 know anything about it, I would want to know
14 something about the patient, and I would certainly
15 want to get help from a radiologist, either in the
16 form of a report that they had previously issued or
17 preferably a living radiologist who would review the
18 films with me.

19 Q. Irrespective of whether you got the report
20 or not, are you able to look at a CT scan, such as
21 what was done on Helen Cohen on March 3, 2006, and
22 identify what is tumor, what is pneumonia, and what
23 is not tumor?

24 A. I believe nobody could do that, because I
25 think it's all -- it's lymph nodes, mass, and

0278

1 collapse all sort of together. It's a very, very
2 common scenario in lung cancers that occur in the
3 central part of the chest.

4 Q. Can postobstructive pneumonia, unrelated to
5 primary lung cancer, show up as a dense
6 consolidation on a CTA scan, such as Helen Cohen
7 had?

8 MR. GDANSKI: Object to the form.

9 A. Post consolidation --

10 Q. Can postobstructive pneumonia show up as
11 dense consolidation on a CTA scan, such as what
12 Helen Cohen had on March 3, 2006?

13 A. It probably did in this case, yes.

14 Q. Can the dense consolidation you see with
15 Helen Cohen be caused by enlarged nodes in the hilum
16 and mediastinum?

17 A. Mediastinum, yes. So it's possible she
18 didn't have an endobronchial mass, but it was just
19 the lymph nodes that were enlarged and extrinsically
20 compressing the bronchus. That's definitely a
21 possibility.

22 Q. Can you say that one more time?

23 A. I believe it was most likely an
24 endobronchial mass in the center of the lung, but
25 sometimes, you know, one will see an obvious mass

0279

1 elsewhere in the lung and some central consolidation
2 where the nodes themselves are pressing on the
3 airways, not something inside the airway.

4 I could draw a picture more easily than
5 explain it, but outside the airway that's pressing
6 on it causing collapse.

7 Q. Did you see any of that with Helen Cohen?

8 A. As I said, you can't quite distinguish it
9 on the CAT scan. Since in this case it really looks
10 like the mass, the metastatic nodes and the collapse
11 are all in the center of the chest.

12 Q. Do you agree that the radiologist, who
13 interpreted the March 3, 2006 CT scan, went back and
14 looked at Helen Cohen's April 15, 2005 CT scan?

15 A. He didn't say it. Where he basically says
16 the infiltrate was not present on the 4/15/05 when a
17 prior CTA of the chest was performed, and then when
18 he talks multiple low attenuation enhancing masses
19 are identified filling the liver. The liver on
20 4/15/05 was normal. So I'm assuming he actually had
21 the film and looked at it.

22 Q. Do you agree that the infiltrate that's
23 described in the new right upper lobe was not
24 present on February 15, 2006?

25 MR. GDANSKI: Hold on.

0280

1 A. Yes. He certainly says the infiltrate was
2 not present on 2/15/05.

3 MR. GDANSKI: You said 2/15/06. You
4 misspoke.

5 Q. I don't think so.

6 A. She wasn't alive on 2/15/06.

7 Q. She was alive on 2/15/06.

8 A. I'm sorry, 4/15/06.

9 Q. Do you see that the radiologist said Mrs.
10 Cohen had a new right upper lobe infiltrate that was
11 not present on April 15, 2006, right?

12 MR. GDANSKI: Where in here does it say
13 April 15, 2006?

14 A. April 15, 2005.

15 MR. GDANSKI: You're misspeaking, and it's
16 causing confusion or maybe you're talking about
17 different things. You said April 15, 2006. Maybe
18 it's written wrong.

19 MR. DAVIS: I'll get it cleared up.

20 Q. Do you agree, if you look at the chest
21 x-rays that I showed you earlier --

22 A. The chest x-ray reports?

23 Q. Yes. Let me back up. Are you aware of any
24 radiology on Helen Cohen that showed a right upper
25 lobe infiltrate prior to the March 3, 2006 CTA scan?

0281

1 A. No.

2 Q. Do you agree that one did not exist before
3 the March 3, 2006 CTA scan?

4 MR. GDANSKI: Object to the form.

5 A. What did not exist?

6 Q. The right upper lobe infiltrate.

7 MR. GDANSKI: Object to the form.

8 A. I guess I don't have an opinion. Certainly

9 it wasn't seen on April 15, 2005, and the portable
10 chest x-rays at the bedside are certainly not films
11 that one would do if one were expecting a central
12 lung infiltrate.
13 Q. Do you agree that the dense consolidation
14 in the posterior segment of the right upper lobe was
15 not present on April 15, 2005 when a prior CTA of
16 the chest was performed?
17 A. I thought that's what the radiologist said
18 and what we talked about multiple times.
19 Q. And you agreed with that assessment?
20 A. I never saw the -- I never saw the April
21 15, 2005 CAT scan. I'm sure it's correct.
22 Q. As you sit here today, do you feel
23 reasonably comfortable relying on what the
24 radiologist said about the assessment of the April
25 15, 2005 prior CTA of the chest?

0282

1 MR. GDANSKI: Object to the form.
2 A. Sure. It should be pretty -- you have a
3 grossly abnormal CT scan and you have one from a
4 year earlier. So I presume it's a competent
5 radiologist. Certainly on the one from March of
6 2006, which I looked at, I could see it, and so I
7 assume or I'm reasonably confident it wasn't
8 misinterpreted 11 months earlier.
9 Q. Do you agree that cancer cells destroy or
10 displace pulmonary vessels?
11 A. Cancer cells don't --
12 Q. Let me back up. That lung cancer destroys
13 or displaces pulmonary vessels?
14 A. Sure it destroys pulmonary vessels, but it
15 certainly will displace or encase the vessels.
16 Q. When you say that, what does it look like
17 on a CTA scan?
18 A. I'm not certified to read CT scans, but I
19 certainly am not qualified to read CT angiograms.
20 So we have real experts who do that in a hospital.
21 Q. Do you believe lung cancer would not leave
22 blood vessels unharmed or you don't know?
23 A. I think neither of those. What do you mean
24 by that? I don't understand your question.
25 Q. Have you ever seen a situation where a

0283

1 blood vessel runs through a cancer and it is
2 unharmed?
3 MR. GDANSKI: Object to the form.
4 A. I don't fully understand your question.
5 I'm not sure how I would ask a radiologist that
6 question. I've not seen a radiologist report
7 that -- and I think it's not a coherent question in
8 my opinion.
9 Q. Do you have any opinions about the density
10 of any nodes that were present in Helen Cohen's --

11 A. "Density" meaning what?
12 Q. You talked about there's some lymph nodes
13 that are enlarged with Helen Cohen; did I understand
14 that right?

15 A. Sure.

16 Q. Do you have any opinions about their
17 density?

18 MR. GDANSKI: Object to the form.

19 A. It does say the dense consolidation extends
20 down to diffusely involve the right hilar region
21 surrounding the right bronchus, and right lower lobe
22 pulmonary artery, but without obvious encasement.

23 So I guess I'm just saying what the report is, and
24 it's not something that I ever questioned in the
25 real world in evaluating patients the density of the
0284

1 lymph nodes.

2 When you do PET scans, you will see the
3 avidity of the lymph nodes. A PET scan is a
4 functional test, while a CAT scan is essentially an
5 anatomic test.

6 Q. Let me hand you what's going to be marked
7 as the next exhibit.

8 (Document marked as Exhibit 51
9 for identification)

10 Q. Do you see this is a chest x-ray of Helen
11 Cohen that was taken on March 3, 2006?

12 A. Yes.

13 Q. This is Exhibit 51?

14 A. Yes.

15 Q. Do you agree that the right upper lobe
16 infiltrate, there was a right upper lobe infiltrate
17 that was identified on this chest x-ray?

18 A. I certainly agree that that's what the
19 radiologist, who is the same radiologist who
20 interpreted the CT scan, said.

21 Q. He says that this right upper lobe
22 infiltrate was not identified on prior available
23 study of February 15, 2006, right?

24 A. Yes.

25 Q. And do you have any reason to dispute his
0285

1 findings?

2 A. None whatsoever.

3 Q. Was the mass that you believe is in Helen
4 Cohen's chest, was it solitary?

5 A. No. Diffuse liver metastases, that's a
6 mediastinal lymph node involvement.

7 Q. Was the lung cancer in Helen Cohen's chest,
8 in your opinion, solitary?

9 MR. GDANSKI: Object to the form.

10 A. People can get multiple lung cancers, but
11 this all looks like one very aggressive lung cancer
12 that had spread to hilar and mediastinal lymph nodes

13 and to the liver. I believe this is one process.

14 Q. We've seen Dr. Jacobson's testimony where
15 he changed his opinion about whether Helen Cohen had
16 primary lung cancer after he reviewed the
17 transvaginal ultrasound and other ultrasounds of
18 Helen Cohen, and also her tumor markers. Do you
19 remember that?

20 A. Yes, I remember we talked about it.

21 Q. And he changed his opinion from her having
22 primary lung cancer to saying that he was unable to
23 say that she had primary lung cancer based upon that
24 evidence. Do you remember that?

25 MR. GDANSKI: Object to the form.

0286

1 A. Yes, I do.

2 Q. Now, you've now seen that evidence as well
3 today, and is it right that you're not changing your
4 opinion?

5 A. Not at all. Yes, it's correct, I'm not
6 changing my opinion at all.

7 MR. DAVIS: Why don't we take a break here.
8 I'm going to get organized for the home stretch.

9 (Recess)

10 (Ms. Luther leaves the deposition and is
11 replaced by Ms. Rossel via speakerphone)

12 BY MR. DAVIS:

13 Q. Doctor, can you identify the specific
14 bronchus that you contend the endobronchial lesions
15 was in?

16 A. Of course not, because I cannot tell you
17 for certain if there's an endobronchial lesion. It
18 was probably in the bronchus to the posterior
19 segment of the right upper lobe, because that's
20 where the collapse is. If it wasn't in the
21 bronchial lesion, which I suspect it was, but I
22 can't conclude, it's most likely to be, since
23 they're seeing the -- at least the reporting
24 collapse in the posterior segment of the right --
25 probably the bronchus of the right posterior segment

0287

1 of the right upper lobe. That's as much as I can
2 say here.

3 Q. I think you told us just a second ago that
4 you were unable to prove that, true?

5 MR. GDANSKI: Object to the form.

6 A. Absolutely, yes.

7 Q. Now, outside an endobronchial lesion, if
8 that's not in play with Helen Cohen, what is in play
9 with her that supports your opinion that she has
10 primary lung cancer?

11 A. She has extensive mediastinal adenopathy,
12 right-sided hilar adenopathy, she's got collapse of
13 the part of the right lung, she's got diffuse liver
14 metastases, she's been a long-term smoker and dead

15 eight days later after this test. This is how lung
16 cancer unfortunately tragically behaves.

17 Q. Mediastinal lymph nodes or enlarged
18 mediastinal lymph nodes is what you're talking
19 about, right?

20 A. I don't remember. We talked about it
21 before. I don't remember saying it -- I mean, how
22 else can you get collapsed if there's not an
23 endobronchial lesion. Hilar or mediastinal lymph
24 nodes can compress the bronchi from the outside and
25 push it in as opposed to an endobronchial lesion
0288

1 which is right inside and just sort of grows and
2 blocks off part of the airway.

3 Q. Mediastinal lymph nodes or hilar lymph
4 nodes, either singularly or when seen together, are
5 not enough to make a diagnosis of primary lung
6 cancer, true?

7 MR. GDANSKI: Object to the form.

8 A. I thought we talked about that about eight
9 hours ago. Just if you see a hilar or mediastinal
10 lymph node, no, you cannot make a diagnosis of lung
11 cancer from that.

12 Q. Looking at Helen Cohen's CT scan from March
13 3, 2006, whatever may be going on with her
14 mediastinal lymph nodes or lymph nodes in the hilar
15 region, that alone cannot give you a diagnosis of
16 primary lung cancer, correct?

17 MR. GDANSKI: Object to the form.

18 A. I did not say that I'm 100 percent certain,
19 and nobody can say they are 100 percent certain.
20 But as concluded by all the physicians caring for
21 her, the person who filled out the death
22 certificate, and this clinical scenario, you know,
23 I'm 90 percent sure she's got a primary lung cancer.

24 Q. I think we're miscommunicating. I'm saying
25 with what you see on the CTA scan for Helen Cohen
0289

1 for March 3, 2006, with respect to hilar lymph nodes
2 or mediastinal lymph nodes, that alone cannot give
3 you a diagnosis of primary lung cancer, true?

4 MR. GDANSKI: Object to the form.

5 A. Yes.

6 Q. Doctor, is it fair to say you're not going
7 to offer any opinions at trial about when Helen
8 Cohen was diagnosed with chronic obstructive
9 pulmonary disease, are you?

10 A. I don't know. It depends on what I'm
11 asked. I don't suspect I will be asked that
12 question.

13 Q. You don't expect to be asked that question?

14 A. Right. She had longstanding COPD.

15 Q. And you haven't prepared any opinions to
16 offer here today about when those symptoms were

17 first present in her; is that true?

18 A. That's correct.

19 Q. And by "symptoms," I meant COPD. Did you
20 understand that?

21 A. Actually, I should have corrected your
22 question. I'm not sure what the symptoms were, but
23 she had longstanding chronic obstructive lung
24 disease.

25 Q. And you're not prepared to tell us today

0290

1 when that first presented or manifested itself in
2 Helen Cohen, true?

3 A. Not exactly. All the records indicate it
4 was longstanding.

5 Q. And that's the best that you can say?

6 A. I could look it up easily. I'm sure that
7 there's a lot more information that I haven't
8 prepared to talk about.

9 Q. Do you agree that Helen Cohen's medical
10 records, and the other evidence you reviewed, showed
11 that she had primary lung cancer being first
12 suspected in March of 2006?

13 A. Yes.

14 Q. And do you know of any medical record or
15 evidence showing that Helen Cohen had primary lung
16 cancer before 2006?

17 A. Any evidence that she specifically -- I
18 don't believe -- no.

19 Q. To the best of your knowledge, do you agree
20 that Helen Cohen's, what you contend is Helen
21 Cohen's primary lung cancer, first manifested itself
22 in March of 2006?

23 MR. GDANSKI: Object to the form.

24 A. Not necessarily. This is a lady with
25 multiple medical co-morbidities who didn't have an
0291

1 obvious cancer in 2005. I'm sure it biologically
2 existed at that point in time. The increasing
3 shortness of breath related to it, I don't know. It
4 certainly was not clinically suspected and it was
5 not manifest, based upon any of the records that I
6 reviewed, prior to March of 2006.

7 Q. And to the extent it may have been present
8 earlier or manifested itself earlier, you can't tell
9 us a month or a year; is that fair?

10 A. Yes.

11 Q. I want to ask you some questions about
12 Exhibit 3 to your deposition.

13 A. This?

14 Q. No. Your typed notes. I want to turn to
15 Page 3, if you could. This is a conversation that
16 you had -- let me back up. Page 3 has a section
17 called "Talked to Taylor McParland on July 30,
18 2012," correct?

19 A. Yes.

20 Q. Underneath that are bullet points where you
21 summarized your conversation with the paralegal at
22 the Schlesinger firm?

23 A. Yes.

24 Q. And it says, the fourth bullet, "Taylor did
25 not know much, even in terms of pathology." Do you
0292

1 see that?

2 A. Yes.

3 Q. Did you ask if any pathology had been done?

4 A. Yes.

5 Q. Why did you ask that?

6 A. I see it. I suspected it was not done, and
7 I asked her that. She, if I recall the conversation
8 correctly, she was not certain, but she didn't know
9 of it.

10 Q. There's a first bullet that says, "Have to
11 be diagnosed before 1990 and 1996." Do you see
12 that?

13 A. I do.

14 Q. Is that something that Taylor McParland
15 said to you during that conversation?

16 A. My question is whether that's a mistake,
17 and I think I thought she did, but I suspect it was
18 either my error or her error.

19 Q. Well, you wrote this down or typed this up
20 around the same time that you had the conversation
21 with her?

22 A. I'm sure I did this as we spoke.

23 Q. What do you remember about the conversation
24 with Taylor McParland where she's telling you that
25 Helen Cohen has to be diagnosed before 1990 and
0293

1 1996?

2 A. I don't think she expanded upon that.
3 Again, I think either she made an error or I made an
4 error or -- I don't know.

5 Q. Have you ever had any conversations with
6 Mr. Gdanski or anyone else at the Schlesinger firm,
7 other than Taylor McParland, where they have had a
8 conversation with you about the timing for when a
9 particular patient in the tobacco litigation has to
10 be diagnosed?

11 A. No.

12 Q. So help me out again, doctor. Why is it
13 that you've got the notes here saying that -- and
14 I'm assuming this is related to Helen Cohen has to
15 be diagnosed before 1990 and 1996; am I correct?

16 MR. GDANSKI: Object to the form.

17 A. Obviously these notes refer to Helen Cohen.

18 Q. So do you know why Taylor McParland was
19 bringing that up?

20 A. I don't. I have been involved in other --

21 I've testified in other cases in Florida, based upon
22 what I believe is the Engle decision, if I'm
23 remembering correctly, that there were constraints
24 about when the diagnosis was made. I don't know
25 whether this case relates to that, and I don't

0294

1 remember the specific dates. But that's why I was
2 sensitive to that comment.

3 Q. I see. So you were sensitive to it, to
4 Taylor McParland's comment, because you knew it
5 would have some significance to the legal issues in
6 the case?

7 MR. GDANSKI: Object to the form.

8 A. Yes.

9 Q. Do you know whether those legal issues
10 would impact the ability of a Plaintiff to recover
11 in the case?

12 MR. GDANSKI: Object to the form. Calls
13 for a legal conclusion.

14 A. I don't specifically, but I believe the
15 answer is yes.

16 Q. Why do you believe the answer is yes?

17 MR. GDANSKI: Objection. Calls for a legal
18 conclusion.

19 A. Because I think I've had discussions
20 relating to that in other cases where other
21 attorneys.

22 Q. I see.

23 A. I did, but I don't remember the exact
24 dates.

25 Q. When this came up with Taylor McParland,
0295

1 you understood that there may be some ramifications
2 for the Plaintiff if the diagnosis was not made in
3 certain date periods?

4 A. As I reviewed this, the question is whether
5 this should have said 2000 to 2006, because
6 obviously I know this was diagnosed in 2006, not
7 1996. So again, obviously there's something wrong
8 with that comment.

9 Q. Do you understand that if there's a
10 diagnosis or a determination about when a disease
11 process happened in the Engle litigation, it could
12 have some adverse effects in terms of the ability of
13 a Plaintiff to recover?

14 MR. GDANSKI: Didn't you just ask that?

15 MR. DAVIS: I have not.

16 MR. GDANSKI: I bet you did. I object.
17 Asked and answered.

18 A. I think the answer is yes. You have to be
19 diagnosed within whatever those dates are.

20 Q. Have you ever taken into consideration
21 conversations you've had with Plaintiff's counsel
22 about when a particular diagnosis or disease process

23 presents itself when you form opinions in the case?

24 A. It's not my concern. It's their concern.

25 Q. Do you take into consideration what those

0296

1 Plaintiffs' lawyers have said to you about that in
2 terms of assisting you to prepare your opinions in
3 the case?

4 MR. GDANSKI: Object to the form.

5 A. No.

6 Q. At the very back of this Exhibit 3, there's
7 a life expectancy table. When were you provided
8 that?

9 MR. GDANSKI: Object to the form.

10 A. What do you mean when was I provided that?

11 Q. How did you come to possess Table A-2, life
12 expectancy at various ages in 2003 by sex and race.

13 A. This comes from, I believe -- if you want,
14 I can get the reference and send it to you. But
15 there's a report, I believe, prepared in 2005,
16 roughly, on the life expectancy of -- you know,
17 she's 81 years old. It wasn't something I was asked
18 to address, but what I was thinking about, you know,
19 what is her life expectancy if she didn't --
20 assuming she made it to what her age was, but didn't
21 die of lung cancer.

22 Obviously -- so it's of interest to me, and
23 I cite this. Again, I cut off the table, but if you
24 look at the age, I mean, at birth you're going to
25 make it 78. At age 80, if you're Caucasian, white,
0297

1 your average life expectancy, they don't say 81 or
2 82, but they have five-year intervals. At age 80,
3 your life expectancy is nine years if you're
4 Caucasian. It's eight years if you're a white male.
5 It's 9.6 years if you're a white female --

6 MR. GDANSKI: Doctor, he gets it. I'm not
7 sure he asked you that. He just asked you how you
8 got it.

9 Q. Have you been asked by Mr. Gdanski or
10 anyone else representing the Plaintiff to offer
11 opinions about this life expectancy table at trial?

12 A. No. Actually, I think I raised it and I
13 won't be asked that question.

14 Q. You don't intend to be offering opinions at
15 trial about Helen Cohen's life expectancy based upon
16 the various medical conditions she had, right?

17 A. Correct.

18 Q. Do you agree if Helen Cohen had stopped
19 smoking by 1964 when the Surgeon General's report
20 came out, that she would have substantially reduced
21 her risk of primary lung cancer?

22 MR. GDANSKI: Object to the form.

23 A. So she was 40 years old. Absolutely.

24 Q. And do you agree that if she had quit then,

25 that she would have reduced her risk of having lung
0298

1 cancer by over 90 percent?

2 MR. GDANSKI: Object to the form.

3 A. So she was 40 years old. Probably close to
4 it.

5 Q. Do you agree that she would have reduced --

6 MR. GDANSKI: Are you finished?

7 THE WITNESS: Yes.

8 A. If you asked me to give a number, I
9 probably would have said less. But greatly would
10 have decreased her risk of developing lung cancer
11 had she quit in 1964.

12 Q. There's a Surgeon General's report in 1978;
13 is that true?

14 A. I believe there is.

15 Q. Let's assume that in 1978 that Helen Cohen
16 had quit smoking. Do you agree that she would have
17 substantially reduced her risk of having lung
18 cancer?

19 MR. GDANSKI: Object to the form.

20 A. So if she were to quit in 1978 versus 1990
21 -- it's never too late to quit, but she presumably
22 had a -- so if she started smoking at.

23 (Phone)

24 Q. Do you agree if Helen Cohen had quit
25 smoking by 1964, she would have reduced her risk of
0299

1 lung cancer up to 90 percent compared to a never
2 smoker?

3 A. So she started smoking, let's say, in 1941,
4 about. So she would have actually been smoking --
5 actually, I retract that. I think she would have
6 substantially reduced, but again, did she smoke two
7 packs a day? If she had smoked from 1941 to 1964,
8 so 23 years, at 46 pack years, I think her risks
9 would have remained.

10 It would have been greater if she had
11 stopped, and I think -- but it would have been more
12 than 50 percent, but it wouldn't have been 90
13 percent, because she already had a 40 pack year
14 history or 50 pack year history of smoking.

15 Q. Do you agree if she stopped in 1964, that
16 she would have reduced her risk of having lung
17 cancer compared to a never smoker somewhere between
18 over 50 percent and 70 percent?

19 A. I'm sorry, your question is?

20 Q. If Helen Cohen had stopped smoking in 1964,
21 do you agree that she would have reduced her risk of
22 lung cancer compared to a never smoker somewhere
23 over 50 percent and up to 75 percent?

24 A. Probably, yeah, I think I would accept
25 that.

0300

1 Q. If Helen Cohen had stopped smoking in 1978,
2 do you agree that she would have reduced her risk of
3 lung cancer by over 50 percent, and up to 65 or 70
4 percent, compared to a never smoker?

5 MR. GDANSKI: Object to the form.

6 A. So 1978. So at that point in time she had
7 been smoking for 37 years, two packs a day. So she
8 had a 75 pack year history of smoking. I think it
9 would probably be less than that.

10 Q. Would it still be over 50 percent but not
11 as high as 70 percent?

12 A. I can't quote that exactly. I'm not sure
13 of any data -- well, actually there are some models
14 that actually allow you to plug in the numbers. But
15 my bet is that it would probably be less than 50
16 percent. It would still be very substantial.

17 Q. I think you've testified in the past that
18 if a smoker quit 25 years before developing lung
19 cancer, that person would more likely than not have
20 developed lung cancer; is that true?

21 MR. GDANSKI: Object to the form.

22 A. I presume it is, yes.

23 Q. I don't want to misquote you. Does that
24 sound like something you said in the past?

25 MR. GDANSKI: Object to the form.

0301

1 A. It is something I probably said in the
2 past. But as more time goes on, you know, I
3 reported that more than 50 percent of lung cancer
4 was in former smokers. In 1975 where the average
5 ratio of abstinence was seven years, but they were
6 up to 40 years.

7 Now that's 18 years ago, and I'm seeing a
8 lot of lung cancer in people who had smoked heavily
9 but stopped 30 years ago.

10 Q. So if Helen Cohen had quit smoking by 1981,
11 do you believe that it's more likely than not she
12 would not have developed lung cancer?

13 MR. GDANSKI: Object to the form.

14 A. I know that she did develop lung cancer.
15 So at that point in time -- I think in her
16 particular case, knowing or I believe that she -- I
17 believe I know she developed lung cancer in 2006. I
18 think in her case, probably more likely than not she
19 would have still developed the lung cancer, because
20 she had by that point in time had smoked -- what is
21 it, 1981? So it would have been 80 pack years.
22 That's a lot of smoking.

23 Q. I know we have the benefit of hindsight
24 now. Let's say in 1991 we don't know whether or not
25 Helen Cohen is going to develop lung cancer, and if

0302

1 she stops then, do you agree that she probably will
2 not develop lung cancer?

3 MR. GDANSKI: Object to the form.
4 Incomplete hypothetical.

5 A. I'm sorry, the question is had she stopped
6 in 1981, and we don't know anything about her --

7 MR. GDANSKI: Did you say '81 or '91?

8 MR. DAVIS: '81.

9 A. And we don't know anything about her
10 history. I'm not giving a definitive answer if we
11 have no idea what her future was. It would
12 certainly be very substantial. I think she was a 80
13 pack year smoker, and I can't answer that question.
14 I'm not sure.

15 (Document marked as Exhibit 52
16 for identification)

17 Q. Doctor, I'm going to hand you what's been
18 marked as Exhibit 52 to your deposition. Do you see
19 this is a prospective study of smoking, antioxidant
20 intake and lung cancer in middle-aged women for the
21 USA?

22 A. Yes.

23 Q. Do you see this is a publication by
24 researchers who were involved with the Harvard
25 nurses study?

0303

1 MR. GDANSKI: Object to the form.

2 A. Yes.

3 Q. You recognize that the Harvard nurses
4 health study is one of the database of information
5 that scientists often look to to help answer
6 questions about risks?

7 A. Yes.

8 Q. And you have used studies from that nurses
9 health study in the past to assist you as an
10 oncologist, have you not?

11 A. Yes. And I know all five of the authors
12 quite well.

13 Q. And it's published in a reliable peer
14 reviewed journal?

15 MR. GDANSKI: Object to the form.

16 A. Yes.

17 Q. Have you seen this article before?

18 A. Not recently, but I think I have, yes.

19 Q. I want to turn to Page 476.

20 A. Let me quickly look at the abstract.

21 MR. GDANSKI: What section?

22 Q. Let me know when you're ready, doctor, and
23 I'll direct you.

24 A. Okay.

25 Q. If you look down at Page 476 on the

0304

1 left-hand column, you'll see this nurses health
2 study was established in 1976, and it involves about
3 121,000 female nurses?

4 A. Yes. I'm not getting that from the

5 article, but I know that.

6 Q. If you go to Page 477, this particular
7 study assessed, if you look on the right-hand side
8 of the right column, the first sentence, it says,
9 "593 confirmed cases of lung cancer were diagnosed
10 during 1.793089 million person year follow-up."

11 Do you agree this was a large study?

12 A. Absolutely.

13 Q. The general rule is the larger the study,
14 the more reliable the results?

15 MR. GDANSKI: Object to the form.

16 A. Yes.

17 Q. The more accurate the statistical analysis?

18 A. Yes.

19 Q. I want you to go to Page 478. The
20 left-hand column, first sentence. It says,
21 "Although the effect of smoking can appear to
22 persist for a number of years after stopping,
23 quitters had a relative risk of approximately 50
24 percent lower than the figure for continuing smokers
25 with adjustment for age after two to five years and

0305

1 a relative risk approaching that of nonsmokers after
2 ten to 14 years."

3 Do you see that?

4 A. I do see that.

5 Q. Do you agree with that statement?

6 MR. GDANSKI: Object to the form.

7 A. I certainly agree with that in this
8 particular study. The other data doesn't suggest
9 that. So this is an outlier, and frankly, this is
10 the nurses health study. So these are nurses.

11 Nurses used to smoke a whole lot. They don't any
12 more.

13 I agree that the reduction in risk as seen
14 in this study reduced much more quickly than other
15 data would suggest.

16 Q. And do you agree that if you look on Table
17 2 of Page 478, that if you look at that data table,
18 the women who had smoked for ten years or more, the
19 risk of lung cancer was the same as that of a never
20 smoker after adjusting for age and age of smoking
21 initiation?

22 A. If you would say this one more time.

23 Q. If for women who had not smoked for ten
24 years or more, the risk of lung cancer was the same
25 as that of a never smoker after adjusting for age

0306

1 and age of smoking initiation?

2 MR. GDANSKI: Object to the form.

3 A. It looks to me like it's after 15 years.

4 Am I reading this -- wait a second. One is relative
5 risk and the other is adjusted for age, two-year
6 follow-up interval. Yes, I would agree with this.

7 Q. So in other words, after ten years of
8 smoking cessation, there was no increase of lung
9 cancer from smoking in the study?

10 A. Right.

11 MR. GDANSKI: Object to the form.

12 A. I believe that's not true for the average
13 person. There's lots of data. They didn't make up
14 the data. That's what the data shows. These are
15 nurses and you can only get so much from the
16 question actually asked.

17 I certainly agree that in the nurses health
18 study the risk reduced much more rapidly, maybe
19 women more than men, but, yes.

20 Q. And this Table 2 shows risk reduction was
21 for all cell types, correct?

22 A. It would require me to read this a little
23 more carefully. I suspect you're correct, but I'm
24 not sure.

25
0307

1 (Document marked as Exhibit 53
2 for identification)

3 Q. Let me hand you what's been marked as
4 Exhibit 53 to your deposition. Do you agree that
5 this is an article that's entitled "Fruits and
6 Vegetables and Lung Cancer Findings From the
7 European Perspective Investigation Into Cancer and
8 Nutrition."

9 A. Certainly that's the title of the article.

10 Q. And this was published in the International
11 Journal of Cancer, which is a respected journal?

12 MR. GDANSKI: Object to the form.

13 A. Yes.

14 Q. And this study also looked at the risk
15 reduction of smoking cessation in lung cancer,
16 correct?

17 A. I would have to read this article. I
18 actually don't think I've read this article.

19 Q. Let's see if you can turn to Page 273 of
20 the article. This article in Table 3 outlines what
21 are called hazard ratios, right?

22 A. Yes.

23 Q. It's entitled "Distribution of Frequency
24 and Intensity of Smoking For Lung Cancer, Cases By
25 Gender and Hazard Ratios For Each Stratum Stratified
0308

1 By Symptom," right?

2 A. Yes.

3 Q. What this table is doing is looking at what
4 the hazard ratio is, and comparing that for
5 nonsmokers, current smokers, and how long people
6 have smoked and the risk of lung cancer, correct?

7 A. It's a complicated table. If you were
8 going to ask me about it, I need to look at this.

9 Q. A hazard ratio is similar to a risk ratio?

10 A. Yes. It's a risk ratio that takes into
11 account time.

12 Q. If you look at the bottom of Table 3,
13 there's a section there that talks about ex-smokers,
14 quit smoking. Do you see that?

15 MR. GDANSKI: As much as I want to move
16 things along, I'm not going to do that at the
17 expense of him not being able to read this. I
18 object. He told you he has never read it and you
19 continue to ask him questions without giving him the
20 opportunity to read the study. I don't think that's
21 fair.

22 Q. Dr. Strauss, can you answer my questions
23 about this study?

24 MR. GDANSKI: He said no.

25 A. I can't. I'd be glad to read the article

0309

1 and comment upon it. This is a complicated article
2 that you're asking me to tell you something. If you
3 asked me whether a number on central line says
4 something, I will agree the number is there, but I'm
5 not going to interpret this without having a chance
6 to read the article and see what they actually did.

7 (Document marked as Exhibit 54
8 for identification)

9 Q. Doctor, can I hand you what's been marked
10 as Exhibit 54. Have you seen this article before,
11 "Lung Cancer Risk Reduction After Smoking,
12 Observations From a Prospective Cohort of Women"?

13 MR. GDANSKI: While he's reading it, at
14 some point I'm going to stop, because I've got to
15 go. I'm obviously trying to be patient. I don't
16 want to have to do that if you have 20 minutes left.
17 At some point I need to make a flight and go home.
18 I have an eight o'clock flight.

19 MR. DAVIS: All right.

20 MR. GDANSKI: I'm told we're ten minutes
21 from the airport.

22 A. I've seen the article.

23 MR. GDANSKI: I'd ask you to be mindful of
24 the time restraint. It doesn't pay to come back
25 here for five minutes.

0310

1 Q. With respect to this article, this is
2 looking at lung cancer risk reduction after smoking
3 cessation; is that right?

4 A. Yes. These are complicated articles that
5 I'm more than happy to comment on, but this is not
6 something that...

7 Q. Let me see if I can speed this up. If you
8 look at Table 2, which is on Page 923, it says,
9 Table 2 is "Lung Cancer Risk After Smoking Cessation
10 By Pack Years of Smoking History Iowa Women's Health

11 Study in 1986 to 1999," right?
12 A. Yes.
13 Q. It has on the left-hand column "Years of
14 Smoking Abstinence" broken out by a certain number
15 of years, correct?

16 MR. GDANSKI: I object.

17 A. Where is it saying years of smoking
18 abstinence?

19 Q. Far right-hand side of Table 2.

20 MR. GDANSKI: I object to asking questions
21 about this study.

22 Q. Are you ready?

23 A. In a couple of hours. What is your
24 question?

25 Q. My question is simple. If you look above
0311

1 Table 2, if you look at under the "Discussion"
2 section, the third line up from the bottom of the
3 right-hand column, there's a sentence that says,
4 "However, the relative risk of lung cancer among
5 former smokers was observed to approach that of
6 never smokers after ten to 15 years."

7 Do you see that?

8 A. I see that.

9 Q. So that was one of the findings in this
10 study, right?

11 MR. GDANSKI: Object to the form.

12 A. I agree that that sentence says that. I'm
13 not prepared, and I presume that's something in the
14 reporting, and I'm not prepared to comment further
15 on this.

16 Q. Do you agree that statement is consistent
17 with your own knowledge?

18 MR. GDANSKI: Object to the form.

19 A. No. I disagree. I think that the risk
20 persists for much longer than ten to 15 years. Once
21 you're beyond 25 years, there was very little data.

22 I'm familiar with the nurses health studies
23 that show a much more rapid decline in risk than
24 other studies have shown, and again, I'm sure that's
25 correct data. This is a complicated study I need to

0312

1 look at. I certainly agree that that sentence says
2 what you said it says.

3 Q. Can you identify for us here today any
4 studies that are not consistent with the findings in
5 either the Harvard nurses study or the part of the
6 finding of this study that we just read?

7 MR. GDANSKI: Object.

8 A. I would be happy to, but I did not bring
9 the study. So I would give it to you, but there's a
10 lot of literature about the elevated risk. I'm not
11 the least bit arguing is a great benefit of smoking
12 and it's never too late -- there's a great benefit

13 of smoking cessation and it's never too late.
14 I think to say one of the Surgeon General's
15 report, the earlier ones, I believe it was '78, and
16 I can find that reference easily, said that after
17 five years the risk is that of approaching lifelong
18 not smokers, and that's totally false. I believe it
19 never really approaches that. Granted, the nurses
20 health study said otherwise. So there's different
21 data.

22 Q. Can you identify any studies that are
23 inconsistent with the Harvard nurses study as you
24 sit here today?

25 A. Yes. I could easily do it, but I can't

0313

1 cite the reference. If you're interested, I would
2 be glad to provide it to you.

3 Q. You mentioned earlier in the deposition
4 that Helen Cohen at one time had a transischemic
5 attack; is that right?

6 A. Right. That was on the list of past
7 medical history.

8 Q. Are you relating that in any way to smoking
9 in terms of the opinions you will offer at the trial
10 of this case?

11 A. I don't think so. Certainly stroke is a
12 major risk factor of certain vascular diseases, but
13 I don't think that's going to come up.

14 Q. Can you go back to Exhibit 3 for a minute.
15 Do you see on Page 3, in the section where you
16 talked to Taylor McParland?

17 A. Yes. 7/30.

18 Q. July 30, 2012. You have in the second
19 bullet of "Diagnosis of COPD Before." What did you
20 mean to say or convey when you typed that there?

21 MR. GDANSKI: Object.

22 A. I don't remember.

23 Q. Is that something that Taylor McParland had
24 conveyed to you during the conversation?

25 A. Probably, but I'm sure by that point in

0314

1 time I looked at some of the records, and I'm sure I
2 knew that.

3 Q. Did you take from that conversation that
4 Taylor McParland was telling you that Helen Cohen
5 had a diagnosis of COPD before 1990?

6 MR. GDANSKI: Object to the form.

7 A. No, not at all. It was assumed before she
8 was diagnosed with lung cancer.

9 Q. On Page 4 of these typed notes, there's a
10 section called "Discussion with Jonathan Gdanski,
11 10/24."

12 A. Yes.

13 Q. Is everything that's laid out here things
14 that Mr. Gdanski was telling you and you were making

15 note of them?

16 MR. GDANSKI: Object to the form.

17 A. I think he was responding to my questions.

18 Q. You were asking him a question about these
19 topics, and then he would answer, and then you would
20 write things down?

21 A. Probably, yes. The question is do we need
22 to be 100 percent sure that she had lung cancer, and
23 I can't say with 100 percent certainty she had lung
24 cancer. I can say with 90 percent certainty. So I
25 was questioning what is the legal standard.

0315

1 Q. There's a section that says "Tobacco may
2 claim ovarian cancer." Is that something that Mr.
3 Gdanski told you and you put down?

4 A. I think I knew that from initial
5 discussions with Taylor, even before I looked at the
6 records.

7 Q. And I think you described the ovaries of
8 Helen Cohen as of 2005 as being suspiciously
9 enlarged ovaries; is that fair?

10 MR. GDANSKI: Form.

11 A. Suspicious, yes.

12 Q. So you did describe Helen Cohen's ovaries
13 as being suspiciously enlarged ovaries?

14 MR. GDANSKI: Object to the form.

15 A. You know, these were notes that I was
16 taking for myself, and yesterday I was asked to
17 bring them. So I wouldn't read too much into that.

18 Q. But that's what you wrote down?

19 A. That's what I wrote down.

20 Q. That's what you typed up?

21 MR. GDANSKI: Object to the form.

22 A. That's what I typed up.

23 Q. Now, this bullet here that says "This
24 patient clearly had lung cancer." Do you see that?

25 A. Last bullet, yes.

0316

1 Q. Is that something that Mr. Gdanski told
2 you?

3 A. No. It's not his place to tell me.

4 Q. How did that issue come up where you felt
5 like you needed to document this in this typed note?

6 A. These are just informal notes in a
7 telephone conversation that I thought would be seen
8 by nobody but me.

9 Q. Did you make any typed or handwritten notes
10 during your meeting with Mr. Gdanski yesterday?

11 A. I don't think I did during the meeting.

12 Q. Did you do after the meeting?

13 A. I reviewed it after the meeting. For
14 example, I think that the last -- on Page 3, where I
15 talk about the -- the last bullet, the first
16 section, had hematology consultation on 3/4/06 for

17 leukocytosis. That was not included in previous
18 notes.

19 Q. Help me out here. Either during the
20 meeting with Mr. Gdanski yesterday or following the
21 meeting with Mr. Gdanski yesterday, did you make any
22 handwritten or typed notes about that meeting?

23 A. Not about the meeting. After I went home,
24 you know, I reviewed the records again, and I made a
25 few changes to my notes; very few.

0317

1 Q. What changes to your notes did you make?

2 A. The issue about the hematology
3 consultation. I can't remember what else looking at
4 this that I added here.

5 Q. Did you change the phraseology of your
6 notes following the meeting with Mr. Gdanski?

7 A. In my notes to myself, I commented that --
8 I think in my conclusions, typical TI, tobacco
9 industry bullshit, and I took that out when I was
10 asked to produce this.

11 Q. Why did you write that down?

12 A. I'm so used to what you're doing, you know,
13 she didn't have lung cancer. We don't know, but it
14 was either ovarian cancer, gastric cancer, primary
15 liver cancer, colon cancer. That's what tobacco
16 companies usually do, trying to prove it's not lung
17 cancer. Lung cancer doesn't exist, I guess, from
18 your perspective.

19 Q. What --

20 A. It's offensive.

21 Q. What other, if any, changes did you make to
22 the phraseology of your notes?

23 A. I don't think I made any.

24 Q. Do you have a version of that prior draft?

25 A. No.

0318

1 MR. GDANSKI: Are you done? Otherwise, I'm
2 done.

3 MR. DAVIS: Brent has questions.

4 MR. FILBERT: I have questions.

5 CROSS EXAMINATION

6 BY MR. FILBERT:

7 Q. I want to ask you a few questions. My name
8 is Brent Filbert. Let me ask you first, you're
9 currently board certified in what areas?

10 A. Internal medicine, medical oncologist and
11 hematology.

12 Q. Have you ever failed a board certification
13 test?

14 A. No.

15 Q. You began working as an expert on tobacco
16 cases for Plaintiffs' law firms back in the late
17 1990s; does that sound about right?

18 A. Yes. It was actually during the year that

19 I did my master of public health, when I left my job
20 and became a full-time student at the Harvard School
21 of Public Health, and I believe I was contacted by a
22 law firm that year, and that was the first case I
23 was involved with.

24 Q. And so since that time around the late
25 1990s, when you have consulted on tobacco cases,
0319

1 you've always consulted for the Plaintiffs; is that
2 right?

3 A. Yes.

4 Q. During that time, how much would you say
5 you've been paid as an expert witness working on
6 tobacco cases?

7 A. I don't know the answer to that question.

8 Q. Any idea?

9 A. No.

10 Q. More than \$500,000?

11 A. No, absolutely not.

12 Q. I'm just trying to find out. So more than
13 \$100,000?

14 A. I don't know.

15 Q. Well, if you were paid \$80,000 in the year
16 2000, and you've worked on tobacco cases since that
17 time, haven't you?

18 A. I was paid \$80,000 when?

19 Q. In the year 2000. I will just represent to
20 you, you testified that in the year 2000 or in 2000
21 you testified you had been paid \$80,000 for working
22 for the law firm of Mr. Finns in tobacco cases?

23 A. That's not true. I never testified to
24 that.

25 Q. You never testified to that?

0320

1 A. Absolutely not.

2 MR. GDANSKI: Mr. Finns?

3 MR. FILBERT: Yes.

4 Q. Do you know who Mr. Finns is?

5 A. Yes. He's a friend of my brother's.

6 Q. You worked with him on tobacco cases,
7 right?

8 A. I did.

9 Q. You testified in the Boeken depo in 2001
10 that you had earned \$80,000 consulting for Mr. Finns
11 on tobacco litigation?

12 A. I don't believe that's correct. That might
13 have been what I earned during an entire year for
14 legal consulting, which would be medical
15 malpractice.

16 Q. Do you agree if you said that in a
17 deposition in 2001, that that would have been an
18 accurate statement?

19 A. I don't remember stating that, and I don't
20 think I did.

21 Q. That wasn't my question. My question is,
22 if you said that in a deposition in 2001, that that
23 would have been an accurate statement at that time?

24 A. I don't think I did. If I said something,
25 it would be accurate, but I don't believe I said
0321

1 that. I don't believe it's true.

2 Q. So getting back to my original question,
3 you worked on tobacco cases for approximately 15
4 years now, right?

5 A. 13.

6 Q. So over that time you've been paid by the
7 hour, now you charge \$500 per hour, right?

8 A. Hmm-hmm.

9 Q. And prior to that you charged \$450 per hour
10 for consulting on tobacco cases?

11 A. Hmm-hmm.

12 Q. So over those 13 years, you would agree you
13 probably made several hundred thousand dollars?

14 A. I already answered that. No.

15 Q. You absolutely have no idea how much you've
16 made working as an expert witness in tobacco cases?

17 A. I don't.

18 Q. As you sit here today, you can't say that
19 you've made more than \$300,000?

20 A. Right. I cannot say that.

21 Q. Well, how do you keep track of how much you
22 make doing your expert work?

23 A. I keep track of how much time I spend per
24 case.

25 MR. GDANSKI: I need to take a break. I
0322

1 can't stay any more. Do you guys want to reschedule
2 this deposition or do you want to give me a few
3 minutes and call someone to have them call in and
4 finish up.

5 MR. FILBERT: That's fine.

6 (Recess)

7 (Mr. Gdanski leaves the deposition and is
8 replaced by Brittany Chambers)

9 BY MR. FILBERT:

10 Q. Doctor, when we left off, I was asking a
11 few questions how much you had been paid working as
12 an expert witness in tobacco cases. I just want to
13 make sure I understand, as you sit here today, you
14 have no idea how much you've been paid over the
15 years working as an expert witness in tobacco cases;
16 is that right?

17 A. Yes. That's correct.

18 Q. You said that you maintain records of how
19 much you're paid as an expert witness. Do you have
20 records that you can access to find out how much you
21 have been paid?

22 A. If I choose to. No, I won't give you that.

23 That's none of your business.

24 Q. It's none of my business how much you have
25 been paid as an expert witness?

0323

1 A. Yes. That's my private contracting. I pay
2 taxes. It's -- I don't see how that's relevant.

3 Q. Doctor, I'm not trying to be intrusive.
4 I'm not asking for your tax returns. I'm just
5 asking if you maintain records of how much you're
6 paid as an expert witness working on these tobacco
7 cases, and you said that you do maintain records?

8 A. I maintain records, but the vast majority
9 of the medical legal consulting I do is on
10 malpractice.

11 Q. I understand. I'm asking about tobacco
12 cases.

13 A. So it's buried in there.

14 Q. Okay.

15 A. But I have been asked for that, and I'm not
16 willing to give you hard numbers. I'm almost -- I
17 would say I'm 100 percent certain that \$80,000 from
18 the Finns case is wrong. I don't remember saying
19 it, and I think it's absolutely wrong. If you would
20 like, I would give you that figure. I will look it
21 up, but I'm sure it's wrong.

22 Q. When you say that you're unwilling to give
23 me an amount, sitting here today you're unwilling to
24 tell me how much you think you have been paid?

25 A. Yes. It's like asking for my tax returns.

0324

1 It's none of your business.

2 Q. Let me make sure I understand this, doctor.
3 This is pretty simple stuff. You're unwilling to
4 tell me how much you have been paid as an expert
5 witness working on tobacco cases; is that fair?

6 A. Yes.

7 MS. CHAMBERS: Object to form. Asked and
8 answered.

9 A. It's not something I choose to share with
10 you or anybody besides my accountant and my wife.

11 Q. Do you have any idea how much you have been
12 paid as an expert witness working on tobacco cases
13 in the year 2012?

14 A. Probably zero. I don't think I've -- I
15 think this is the only case that I've -- new case
16 I've gotten in 2012. I did submit a bill in July.
17 It hasn't been paid. There's another case that
18 actually is supposed to go to trial in a couple of
19 weeks in New York that I met with the attorney.
20 Actually, I might have actually met with that
21 attorney earlier. So I may have received something
22 for that earlier in the year.

23 Q. Okay. But you can't tell me an amount you
24 have been paid in 2012?

25 A. Correct.

0325

1 Q. In 2011, can you tell me an amount?

2 A. No.

3 Q. 2010?

4 A. No.

5 Q. You said that there's a case --

6 A. May I take a look at the list of

7 depositions I gave you?

8 MR. DAVIS: They are in the stack somewhere

9 here, doctor. It's Exhibit 8 or Exhibit 9.

10 A. The last deposition I had in a tobacco
11 case, there were two in 2010, and I testified at one
12 trial in 2010, that's the Nathan Cohen trial, and
13 one trial in 2011.

14 Q. Okay. You mentioned that there's a trial
15 in a couple of weeks. Are you scheduled to testify
16 in any upcoming tobacco trials?

17 A. Yes.

18 Q. Tell me the names of the trials -- first of
19 all, let me ask this. How many trials are you
20 scheduled to testify in tobacco trials?

21 A. That's the only one I know of.

22 Q. Just one then, right?

23 A. Yes.

24 Q. When is that set to go to trial?

25 A. It's supposed to -- I'm scheduled to

0326

1 testify Wednesday and Thursday, the 29th and 30th of
2 this month. Two weeks from now.

3 Q. What's the name of the case?

4 A. William Champagne versus, I believe, Philip
5 Morris and R.J. Reynolds.

6 Q. That's a case here in New York?

7 A. This is Massachusetts.

8 Q. All right. It's been a long day. The case
9 is in New York?

10 A. It is. White Plains. Jerry Block is the
11 attorney.

12 Q. He's with the Weiss --

13 A. No. Levy, Phillips & Konigsberg.

14 Q. Outside of that trial, no other scheduled
15 trial testimony?

16 A. I think Jonathan said that this one is sort
17 of tentatively rescheduled for February of next
18 year.

19 Q. I'll get to that in just a second. I'm
20 trying to finish up fast here. So do you have any
21 depositions in tobacco cases that are scheduled?

22 A. No. I think this is the only case that I
23 have been involved in that has not been deposed yet.

24 Q. Okay. And what have you and Jon discussed
25 about you going down to testify in this trial in --

0327

1 it's scheduled in February, right?
2 A. I think that's what he said, yes.
3 Q. Were you told you were expected to go down
4 and testify?

5 A. I don't think he said that, but I
6 understand that.

7 Q. That's your understanding that you would go
8 down there to testify?

9 A. Sure.

10 Q. It's your understanding you're expected to
11 go down and testify at the trial in this case?

12 A. Yes.

13 MR. FILBERT: Okay. I don't think I have
14 any further questions.

15 MR. DAVIS: I have just a few follow-up
16 questions.

17 REDIRECT EXAMINATION

18 BY MR. DAVIS:

19 Q. Dr. Strauss, do you agree that as of March
20 3, 2006, the symptoms and complaints that Helen
21 Cohen presented with could be consistent with
22 primary colon cancer that had metastasized to the
23 lung?

24 A. Based upon what I know?

25 Q. Just her symptoms and complaints. Do you
0328

1 agree that her presentation, including her symptoms
2 and complaints as of March 3, 2006, before the CT
3 scan was done, was consistent with a primary colon,
4 cancer that was metastatic to the lung?

5 A. If she had colon cancer that metastasized
6 to the liver and the lung, sure, her symptoms could
7 be due to metastatic lung cancer.

8 Q. Do you agree as of March, 2006, before the
9 CT scan was done on Helen Cohen, that her
10 presentation and her symptoms and complaints could
11 be consistent with a gastric or a stomach cancer
12 that had metastasized to the lung?

13 A. There's zero evidence that that's the case.
14 If they had gastric cancer, sure, she could have had
15 these symptoms.

16 Q. Do you agree as of March 3, 2006, before
17 the CT scan was done on Helen Cohen, that her
18 presentation and symptoms and complaints were
19 consistent with ovarian cancer that had metastasized
20 to the lung?

21 A. Not totally inconsistent, but because
22 ovarian cancer typically doesn't go within the
23 substance of the liver as opposed to the surface of
24 the liver, that's very unlikely. So anything can
25 happen.

0329

1 Tumors don't read textbooks, but I think
2 ovarian cancer is even less likely than gastric and

3 colon, which I think are also zero possibilities.

4 So I guess it can be less likely.

5 Q. Do you agree as of March 3, 2006 that Helen
6 Cohen's presentation and symptoms and complaint
7 before she had the March 3, 2006 CTA scan, that it
8 was consistent with primary breast cancer that had
9 metastasized to the lung?

10 A. As I say, there's zero evidence for that.
11 So I think knowing what I know, I think it's
12 actually not consistent with any of those things.
13 She had lung cancer.

14 Q. But again, I'm saying you don't have the CT
15 scan in front of you as of March 3, 2006, and Helen
16 Cohen presents just like she did in Delray Medical
17 Center, as of that time with the same symptoms and
18 complaints, do you agree that that presentation
19 would be consistent with a primary breast cancer
20 that had metastasized to the lung?

21 A. It's consistent with pneumonia, without any
22 evidence she had cancer. You're asking a question
23 that has no relevance to this case.

24 Q. Do you agree that looking at the March 3,
25 2006 CT scan alone, not knowing anything else, that
0330

1 that could be consistent with Helen Cohen having a
2 primary colon cancer that metastasized to the lung?

3 MS. CHAMBERS: Object to form.

4 A. It's so hard to put aside what I know. If
5 I know nothing, then anything is possible. But
6 sorry, I can't respond any better to your question.

7 Q. I'm just asking, if you had a single piece
8 of evidence in front of you of the March 3, 2006 CTA
9 scan of Helen Cohen, and looking at that CT scan
10 that you say was consistent with a primary colon
11 cancer that had metastasized to the lung?

12 MS. CHAMBERS: Object to form.

13 A. No. I know what I know about this case,
14 and the history is extraordinarily unlikely to be
15 due to anything other than lung cancer.

16 Q. I'm not asking about the history and the
17 presentation. We went through that separately.

18 A. We certainly did.

19 Q. I'm asking about having the CT scan in
20 front of you, and that's all you have, is the March
21 3, 2006 CTA scan of Helen Cohen. Looking at that
22 alone, do you agree that that could be consistent
23 with a primary colon cancer that had metastasized to
24 the lung?

25 A. I guess it could be consistent with any
0331

1 cancer.

2 MS. CHAMBERS: Object to the form.

3 Q. Sorry.

4 A. It could be consistent with any cancer for

5 a question that I don't accept the validity of the
6 question.

7 Q. It could be consistent with any cancer that
8 had metastasized to the lung?

9 A. Sure.

10 MR. DAVIS: Thank you.

11 RECROSS EXAMINATION

12 BY MR. FILBERT:

13 Q. Doctor, I forgot to ask this question. I
14 apologize. You have been asked a lot of questions
15 today, and I just want to make sure that you have
16 expressed every opinion that you have formed in this
17 case based upon your review of the medical records
18 and other evidence that's been produced here today?

19 A. I guess I --

20 MS. CHAMBERS: Object to form.

21 A. I guess I don't understand the question.
22 The vast majority of the day was spent talking about
23 the other cancers that you think she had, that she
24 didn't have. We spent a minimum amount of time
25 talking about lung cancer, causation, and my

0332

1 opinions very much related to that.

2 I expect to be testifying to a considerable
3 extent about that, but we didn't really deal with
4 that at all.

5 Q. I guess your major opinions in the case,
6 with respect to lung cancer and the cause of lung
7 cancer, you've expressed those here today, and then
8 the expert disclosure that you went over, right?

9 MS. CHAMBERS: Object to the form.

10 A. I guess so, sure.

11 Q. Finally, can you tell me for 2012, what
12 percentage of your income comes from your medical
13 legal work?

14 A. I can't tell you.

15 Q. You can't tell me that?

16 A. Yes.

17 Q. Sitting here today, you have no idea what
18 percentage?

19 A. I can't tell you what it is. I don't know.

20 Q. What about for 2011?

21 A. I don't know.

22 Q. 2010?

23 A. I don't know.

24 MR. FILBERT: All right.

25 MR. DAVIS: I have one final housekeeping

0333

1 issue. The questions are done. Just so I don't
2 forget, I just want to mark as the next exhibit the
3 depositions that Dr. Strauss brought here today.
4 They have got notes on them and flags on them, and I
5 would ask the court reporter to reproduce the flags
6 and make a copy of this.

7 (Document marked as Exhibit 55
8 for identification)
9 CROSS EXAMINATION
10 BY MR. MICHELMAN:
11 Q. This is Scott Michelman. I appreciate you
12 bearing with me. You have been here a long time. I
13 just have a couple of quick things.
14 You mentioned earlier that you had looked
15 up the size of her normal ovaries on the internet,
16 correct?
17 A. Yes.
18 Q. Is there any other research you did, Google
19 or otherwise that we haven't discussed today, that
20 you did to relate to your opinions and your work on
21 this case?
22 A. No.
23 Q. During the break today, did you and Mr.
24 Gdanski discuss the case or your testimony?
25 A. No. We talked about our families, mostly
0334

1 his kids and my grand kids.
2 MR. MICHELMAN: I have no further
3 questions. Thank you, doctor.
4 MS. CHAMBERS: Are the Defendants done?
5 MR. DAVIS: Done.

6 CROSS EXAMINATION
7 BY MS. CHAMBERS:
8 Q. This is Brittany Chambers from Schlesinger
9 Law Offices. Doctor, I apologize for jumping in in
10 the middle and not being there in person, but I have
11 a couple questions for you. Can you hear me okay?
12 A. I can hear you fine.
13 Q. Doctor, is it your opinion to a reasonable
14 degree of medical probability that Helen Cohen had
15 primary lung cancer?

16 MR. DAVIS: Object to form.
17 A. It is my opinion to near certainty, 90
18 percent certainty, that she had primary lung cancer.
19 Q. And is it your opinion to a reasonable
20 degree of medical probability or expertise that
21 Helen Cohen died of primary lung cancer?
22 A. Yes, it is.
23 Q. And is it your opinion to a reasonable
24 degree of medical probability or certainty that
25 Helen Cohen's lung cancer was caused by her smoking
0335

1 cigarettes?
2 MR. DAVIS: Object to the form.
3 A. Yes, it is.
4 Q. Doctor, are you prepared to discuss at
5 trial and explain to the jury that each cigarette
6 Helen Cohen smoked contributed in a substantial way
7 to her development of lung cancer?
8 MR. DAVIS: Object to the form.

9 A. Each cigarette that she smoked contributed
10 to her development of lung cancer; is that the
11 question?

12 Q. Yes.

13 MR. DAVIS: Objection.

14 MR. FILBERT: I join in that objection.

15 A. Certainly it's a cumulative exposure over
16 time, yes. So certainly every cigarette contributed
17 to it.

18 Q. You're prepared to express that opinion at
19 trial, correct?

20 A. Absolutely.

21 Q. And I understand that you have a CD that
22 has the medical records in this case?

23 A. Yes. Jonathan gave that to me yesterday
24 when we met.

25 Q. Are you prepared to comment on all of the
0336

1 records in the case that we have?

2 MR. DAVIS: Objection.

3 MR. FILBERT: Form.

4 A. I've not reviewed it, except I opened it.
5 If I'm asked to, I will. I'm told that there are
6 10,000 pages, and I would hopefully be looking at
7 selected records rather than every record.

8 Q. Are you prepared to explain at trial how
9 the relevant medical records relate to your opinions
10 and conclusions in this case?

11 A. Yes.

12 Q. Are you prepared to explain at trial to a
13 reasonable degree of medical probability why Mrs.
14 Cohen did not have colon cancer?

15 A. Can you repeat that? I think I missed a
16 word or two?

17 Q. Are you prepared to discuss at trial to a
18 reasonable degree of medical probability why Helen
19 Cohen did not have colon cancer?

20 A. Absolutely.

21 Q. And are you prepared to explain to a
22 reasonable degree of medical probability why Mrs.
23 Cohen did not have ovarian cancer?

24 A. Absolutely.

25 Q. Are you prepared to discuss and explain to
0337

1 a reasonable degree of medical probability why she
2 did not have gastric cancer?

3 A. Absolutely.

4 Q. And are you prepared to discuss and explain
5 at trial to a reasonable degree of medical
6 probability why Mrs. Cohen did not have any other
7 form of cancer, other than primary lung cancer?

8 A. Absolutely.

9 Q. Are you prepared to comment on the relevant
10 portions of David Cohen's deposition that are

11 relevant to your opinions?

12 MR. FILBERT: Form.

13 A. It was an 800-page deposition, and most of
14 it I glanced at. I would hope to be asked or be a
15 little more directed about what I would be asked.

16 Q. I understand. It was a long deposition.

17 It was about seven volumes. You're telling me you
18 perused it and found portions you found relevant in
19 formulating your opinions, right?

20 MR. FILBERT: Form.

21 A. Right. It was more about what she smoked
22 and how much she smoked, but it was very limited.

23 Q. Okay. In reviewing certain portions of
24 David Cohen's deposition, are you prepared to
25 explain at trial those portions that you deemed

0338

1 relevant?

2 MR. FILBERT: Form.

3 A. Sure.

4 Q. Have you reviewed and are you prepared to
5 discuss the death certificate in this case?

6 A. I've reviewed the death certificate, and
7 I'm certainly prepared to discuss the death
8 certificate in this case.

9 Q. Have all the opinions you've expressed
10 today held within a reasonable degree of medical
11 probability?

12 A. Yes.

13 MS. CHAMBERS: Doctor, those are all the
14 questions I have for you. I would just like to put
15 on the record we would reserve the right to have Dr.
16 Strauss comment on the Defendants' expert testimony
17 and opinions should that be necessary.

18 MR. DAVIS: I don't have any additional
19 questions. Defendants object to Dr. Strauss relying
20 on any additional records or depositions outside of
21 what he said he was prepared to discuss today and
22 what he said he reviewed before his deposition
23 today.

24 MR. FILBERT: I join in that objection.

25 MS. ROSSEL: I join in that objection.

0339

1 MR. MICHELMAN: As do I, and I have a
2 couple follow-up questions.

3 RECROSS EXAMINATION

4 BY MR. MICHELMAN:

5 Q. What I thought I heard you say, is it your
6 opinion in this case that every cigarette mattered?

7 A. Yes, I said that. What I meant is that the
8 risk of lung cancer is related to cumulative
9 exposure over time. I don't know how anyone is
10 going to measure the effect of one cigarette out of
11 the millions she probably smoked during her
12 lifetime.

13 Q. What was Ms. Cohen's pack year smoking that
14 you contend caused her lung cancer in this case?

15 A. Well, it varied. I think it could have
16 been as much as two packs a day for 50 years. So
17 that's 100 pack years. It might have been less than
18 that for -- it was somewhere in the 50 to 100 pack
19 year range.

20 Q. And if we took out five pack years, so it
21 was 95 pack years or 45 pack years, could it still
22 be your opinion that her smoking was the cause of
23 her lung cancer?

24 MS. CHAMBERS: Object to the form.

25 A. Absolutely.

0340

1 Q. What if we took out ten pack years, so her
2 smoking history ranged from 40 pack years to 90 pack
3 years, it's still your opinion that smoking was the
4 cause of her lung cancer?

5 A. Of course.

6 MS. CHAMBERS: Object to the form.

7 MR. MICHELMAN: I have no further
8 questions.

9 MR. DAVIS: I just have three.

10 REDIRECT EXAMINATION

11 BY MR. DAVIS:

12 Q. Doctor, can colon cancer metastasize
13 endobronchially?

14 A. Actually, I suspect the answer is yes, but
15 I don't believe I've ever seen that. I'm not sure.
16 I don't recall a case offhand.

17 Q. Can breast cancer metastasize
18 endobronchially?

19 MS. CHAMBERS: Object to form.

20 A. I believe so, but I can't specifically
21 recall a case.

22 Q. Can ovarian cancer metastasize
23 endobronchially?

24 A. I suspect it can, but I've never seen a
25 case.

0341

1 Q. Can gastric or stomach cancer metastasize
2 endobronchially?

3 A. I don't know. I suspect it can, but I've
4 never seen a case.

5 Q. Are you aware of any cancer whose primary
6 site is outside the lung that does not metastasize
7 endobronchially?

8 A. Please repeat the question.

9 Q. Are you aware of any cancer that's origin
10 is outside the lung that does not metastasize
11 endobronchially?

12 A. Not specifically --

13 MS. CHAMBERS: Object to form.

14 A. -- but usually the way we diagnose this

15 would be with a fine needle aspirate, a CT-directed
16 fine needle aspirate. So you're not assessing that
17 unless there's some specific respiratory symptoms
18 where a bronchoscopy is indicated. I'm not aware of
19 any that can't, but it doesn't come up very often.

20 MR. DAVIS: Okay. Thank you.

21 (Whereupon the deposition
22 concluded at 7:29 p.m.)
23
24
25

0342

1 ACKNOWLEDGMENT OF DEPONENT
2 I, GARY M. STRAUSS, M.D., do hereby certify
3 that I have read the foregoing transcript of my
4 testimony, and further certify that it is a true
5 and accurate record of my testimony (with the
6 exception of the corrections listed below):

7	Page	Line	Correction
8			
9			
10			
11			
12			
13			
14			
15			
16			
17			
18			
19			

20

21

GARY M. STRAUSS, M.D.

22

SUBSCRIBED AND SWORN TO BEFORE ME

23 THIS ____ DAY OF _____, 20____.

24

25 (NOTARY PUBLIC) MY COMMISSION EXPIRES:

0343

1 CERTIFICATE
2 Commonwealth of Massachusetts
3 Suffolk, ss.
4

5 I, Michael D. O'Connor, Registered Professional
6 Reporter and Notary Public in and for the
7 Commonwealth of Massachusetts, do hereby certify
8 that GARY M. STRAUSS, M.D., the witness whose
9 deposition is hereinbefore set forth, was duly sworn
10 by me and that such deposition is a true record of
11 the testimony given by the witness.

12 I further certify that I am neither related to
13 or employed by any of the parties in or counsel to

14 this action, nor am I financially interested in the
15 outcome of this action.

16 In witness whereof, I have hereunto set my hand
17 and seal this 14th day of November, 2012.

18

19

20 Notary Public

21

22

23 My commission expires

24 December 3, 2015

25